

United States District Court  
District of Massachusetts

CIVIL No. 82-1672-S

**ANNE ANDERSON**, for herself, and as parent and next friend of **CHARLES ANDERSON**, and as Administratrix of the Estate of **JAMES ANDERSON**; **CHRISTINE ANDERSON**; **RICHARD AUFIERO**, for himself, and as parent and next friend of **ERIC AUFIERO**, and as Administrator of the Estate of **JARROD AUFIERO**; **LAUREN AUFIERO**; **DIANE AUFIERO**, for herself, and as parent and next friend of **JESSICA AUFIERO**; **ROBERT AUFIERO**; **KATHRYN GAMACHE**, for herself, and as parent and next friend of **AMY GAMACHE**; **TODD L. GAMACHE**; **ROLAND GAMACHE**; **PATRICIA KANE**, for herself, and as parent and next friend of **MARGARET KANE**; **KATHLEEN KANE**; **TIMOTHY KANE**; and **KEVIN KANE, Jr.**; **KEVIN KANE**; **DONNA L. ROBBINS**, for herself and as parent and next friend of **KEVIN ROBBINS**, and as Administratrix of the Estate of **CARL L. ROBBINS, III**; **MARY J. TOOMEY**, for herself and as next friend of **MARY EILEEN TOOMEY**, and as Administratrix of the Estate of **PATRICK TOOMEY**; **RICHARD J. TOOMEY**; **JOAN ZONA**, for herself, and as Administratrix of the Estate of **MICHAEL ZONA**; **RONALD ZONA**; **ANN ZONA**; **JOHN ZONA**; and **PAT ZONA**,  
Plaintiffs

*versus*

**CRYOVAC**, Division of **W. R. GRACE & CO.**; **W. R. GRACE & CO.**; **JOHN J. RILEY COMPANY**, Division of **BEATRICE FOODS CO.**; **BEATRICE FOODS CO.**; and **XYZ Company(ies)**, Defendants

Deposition of **JOHN H. GUSWA**, taken on behalf of the Plaintiff pursuant to the applicable provisions of the Federal Rules of Civil Procedure, before Nancy L. Eaton, Notary Public in and for the Commonwealth of Massachusetts, at the offices of Foley, Hoag & Eliot, One Post Office Square, Boston, Massachusetts, on Tuesday, January 21, 1986, commencing at 8:50 a.m.

**NANCY L. EATON**

*Registered Professional Reporter*

**APPEARANCES**

**SCHLICHTMANN, CONWAY & CROWLEY,**  
by **JAN SCHLICHTMANN, Esq., & KEVIN CONWAY, Esq.,**  
171 Milk Street, Boston, MA 02109, for the Plaintiffs.

**HALE & DORR,**  
by **DONALD FREDERICO, Esquire,**  
60 State Street, Boston, MA 02109,  
for Beatrice Foods.

**FOLEY, HOAG & ELIOT,**  
by **AMY WOODWARD, Esq.**  
One Post Office Square, Boston, MA 02109,  
and **MARK STOLER, Esquire,**  
for W. R. Grace & Co. & Cryovac, Division of W. R. Grace & Co.

**Also Present for part of Deposition: William Crowley, Esq. and  
Thomas Kiley, Esq. for the Plaintiff.**

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24

I N D E X

<u>Witness</u>	<u>Direct</u>	<u>Cross</u>
John H. Guswa	4	

Exhibits

<u>No.</u>		<u>Page</u>
1	Resume	4
2	Hydrologic Atlas	22
3	US Geological Survey map	22
4	DEQE Map	72
5	Water Resources Investigations	72



1 this case?

2 A. I became involved in the case in June of  
3 this year, June of 1985.

4 Q. And who retained you?

5 A. Foley, Hoag & Eliot.

6 Q. Were you retained on behalf of W. R. Grace  
7 corporation?

8 A. I believe so.

9 Q. And you're not retained on behalf of the  
10 Beatrice Foods corporation?

11 A. No.

12 Q. What was your understanding of your  
13 responsibilities when you were retained?

14 A. We were asked to look at the site,  
15 hydrogeologic conditions at the Cryovac plant and  
16 within the Aberjona River valley and to evaluate  
17 whether or not there is currently -- whether  
18 chemicals are currently flowing off site in the  
19 groundwater beneath the Cryovac plant, and if so,  
20 could they have flowed off site prior to 1979; and  
21 if they did that, could they have reached wells G  
22 and H prior to 1979; and if they did that, I guess  
23 what volumes and what quantities are likely to have  
24 reached those wells, and also to look at the

1 possibility of other potential sources upstream of  
2 wells G and H.

3 Q. And how did you undertake those  
4 responsibilities?

5 A. Well, initially we reviewed a lot -- we  
6 reviewed as many of the reports as we could get  
7 access to and I believe, I don't know of any  
8 reports that we have not had access to, work done  
9 by Ecology & Environment, the EPA, the N U S, I  
10 guess N U S was involved later, the EPA reports.  
11 W. R. Grace hired Geoenvironmental Consultants to  
12 do some on-site work for them, reviewed some DEQE  
13 memos and letters and sort of historical reports  
14 regarding the Aberjona River valley, looked at some --  
15 reviewed some reports describing the entire geology,  
16 the hydrogeology of the Aberjona River valley.  
17 There is the geologic report that describes the  
18 glacial geology of the Mystic Lakes which includes  
19 the Aberjona River valley. Reviewed the  
20 fundamental geologic reports, a regional scale, and  
21 then as it pertained to the information available  
22 on the Cryovac plant.

23 Q. And after your reviewing of this  
24 information, did you form any opinions?

1           A.     I formed an opinion that there currently  
2     is -- there are chemicals flowing off the Cryovac  
3     plant at present. I have formed the opinion that  
4     there is potential for numerous upstream other  
5     sources of contamination or numerous sources of  
6     contamination to wells G and H upstream of wells G  
7     and H. I have not formed an opinion yet as to  
8     whether or not material is likely to have flowed  
9     off site prior to 1979, and consequently have not  
10    formed an opinion about whether it got to wells G  
11    and H or not.

12           Q.     In your opinion the Grace site is a source  
13    of contamination of the groundwater of the East  
14    Woburn aquifer; is that right?

15                   MS. WOODWARD: Objection. You can  
16    answer.

17           A.     In my opinion there are chemicals that are  
18    flowing off site at present.

19           Q.     And what are the chemicals that are  
20    flowing off site from the Grace site in your  
21    opinion?

22           A.     The ones that I have looked at are  
23    trichloroethylene, tetrachloroethylene and  
24    1,2-transdichloroethylene.

1 Q. Doctor Guswa, what is your understanding  
2 of the site activities at the W. R. Grace plant in  
3 Woburn, the history of the site activities?

4 MS. WOODWARD: Objection. You can  
5 answer if you can.

6 A. I am not quite sure what you mean.

7 Q. What is your understanding as to what took  
8 place historically at the site?

9 A. My understanding is that the plant either  
10 manufactures or prepares machinery for packaging of  
11 frozen foods or something like that.

12 Q. Is it important for your opinion as to  
13 when contamination got to wells G and H as to when  
14 the site became contaminated?

15 MS. WOODWARD: Objection. You can  
16 answer if you understand the question.

17 A. In order to evaluate whether or not  
18 contaminants flowed off site prior to 1979, I would  
19 have to do calculations regarding time of travel  
20 through the materials that are found on site. I  
21 would have to have information about where the  
22 source areas were, where they are with respect to  
23 the groundwater flow system and I would need that  
24 information to form an opinion.

1 Q. Would you also have to know when the  
2 contaminants were actually disposed of on the site  
3 to determine the period of time when in all  
4 probability they got to wells G and H?

5 MS. WOODWARD: Objection to the form.  
6 Answer if you understand the question.

7 A. Well, if nothing got on the ground until  
8 after 1980, that would answer my question very  
9 simply.

10 Q. And if something got on the ground prior  
11 to 1979, you'd want to know when that was so that  
12 you could determine your travel times to see when  
13 the contaminants got to wells G and H?

14 MS. WOODWARD: Objection. Go ahead  
15 and answer if you can.

16 A. In terms of determining the travel times,  
17 you can do that independent of knowing when the  
18 material got into the ground. You can do the  
19 analysis without knowing when the materials  
20 actually deposited. If, for instance, the analysis  
21 says it was one hundred years, then it is not  
22 important to know when the material was put on the  
23 ground. If the analysis indicates that it is five  
24 years, it may be important to know that.

1 Q. And have you done that analysis?

2 A. No.

3 Q. What do you have to do to do that analysis  
4 as to travel time?

5 A. To do the analysis of the travel time is  
6 to incorporate hydrogeologic conditions that exist  
7 on the site of course, namely, the water  
8 transmitting properties and the hydraulic gradient  
9 and the distance of travel to make an estimate of  
10 the travel time.

11 Q. What do you mean by the water  
12 transmissivity?

13 A. The transmissivity or hydraulic  
14 conductivity of the aquifer is an indirect measure  
15 of its water transmitting properties and of water  
16 to transfer through that. That's a function of  
17 several different things, but basically it is a  
18 drain size of the material through which it is  
19 going, of the degree of interconnectedness within  
20 the material, and that's also related to the degree  
21 of sorting of the material that's on site.

22 In other words interconnectedness is  
23 greater in materials that all have the same size  
24 and shape. Materials have different sizes and

1 shapes, the smaller particles tend to fill in the  
2 pore spaces between the large spaces, so there is  
3 less interconnectedness of material, and that would  
4 be reflected in doing some testing of lower values  
5 for hydraulic conductivity.

6 Q. Have you made any determinations as to how  
7 transmissive the soils are on the Grace site?

8 A. There have been slug tests. I have not  
9 personally made any determination. There have been  
10 slug tests done on site in an attempt to measure  
11 hydraulic conductivity.

12 Q. Are you aware of those results?

13 A. Yes.

14 Q. What do those results indicate to you  
15 concerning the transmissivity of the soils?

16 A. Well, that there is an extreme variability  
17 in the hydraulic conductivity across the site, that  
18 values of, well, extremely low values to I'll say  
19 moderate values on the site. I don't remember what  
20 those numbers are. I know that some numbers are  
21 less than one foot a day. They might be as low as  
22 a hundredth of a foot a day, and I think the  
23 highest number, and I really would have to check  
24 this to verify it, but I think the highest number

1 is probably around five feet per day.

2 MS. WOODWARD: I think you ought to  
3 make sure when you refer to site in these questions,  
4 Jan, I'm not sure.

5 Q. I know.

6 A. I am talking about the Cryovac plant.

7 Q. That's what --

8 A. East of Washington Street.

9 Q. We'll get to the Beatrice site later.

10 MS. WOODWARD: There is obviously a  
11 lot of area between the Cryovac site and the wells  
12 G and H. For a time travel the distance of the  
13 site inside the --

14 MR. SCHLICHTMANN: After I finish  
15 deposing Doctor Guswa, I will depose you.

16 MS. WOODWARD: That will be be fun,  
17 Jan. I'm looking forward to it.

18 Q. You haven't made any calculation as to the  
19 transmissive qualities of the soils on the Grace  
20 site; is that right?

21 MS. WOODWARD: Objection. Go ahead  
22 and answer.

23 A. I think I just said that I have not done  
24 that myself, but there are those values that are

1 reported for hydraulic conductivity.

2 Q. Have you made any determination of the  
3 transmissive qualities of the soils in the vicinity  
4 of wells G and H?

5 A. No, I haven't.

6 Q. Have you examined the pump test data that  
7 was produced during December and January?

8 A. We have received some of the pump test  
9 data and we have not received it all. I have  
10 reviewed some of the data but not all of it.

11 Q. And have you formed any conclusions in  
12 reviewing that data?

13 A. The only conclusion that I have formed at  
14 this point is that we don't have all of the data we  
15 thought we were going to get.

16 Q. Did you examine the water levels that were  
17 taken on the Grace site during the pump test?

18 A. Yes.

19 Q. What did those water levels do during the  
20 pump test?

21 A. During the pump test they rose.

22 Q. How far did they rise?

23 A. Well, I'd have to review the data again to  
24 be sure, but my recollection is that we're talking

1 less than a foot, maybe even less than a half a  
2 foot water level rise.

3 Q. And was that unusual in your opinion?

4 MS. WOODWARD: Objection.

5 Q. That the water levels rose during a pump  
6 test?

7 A. Well, the Grace site is several thousand  
8 feet away from the pumping wells. The material is  
9 material that is referred to as ground moraine  
10 deposits, generally low permeability material.  
11 There were storm events during the pump test. I  
12 did not really expect to see any water level change  
13 at the Grace site due to pumping wells G and H, due  
14 to my conceptual understanding of where it is  
15 located geologically with respect to wells G and H.  
16 The fact that the water levels rose to me is just a  
17 reflection of the rainfall events that took place  
18 during the pump test.

19 Q. So as far as you're concerned in  
20 evaluating the water level information generated by  
21 Geoenvironmental during the pump test, there was no  
22 hydraulic connection between the Grace site and the  
23 pumping of wells G and H; is that right?

24 MS. WOODWARD: Objection.

1           A.    I don't think -- that's not what I said.  
2           I said there was no change at the Grace site in  
3           response to the pumping.

4           Q.    Do you think there is a hydraulic  
5           connection between the Grace site and the pumping  
6           of wells G and H?

7           A.    You'll have to explain a little more  
8           detail what you mean by hydraulic connection.

9           Q.    Is that a term of art you're familiar with,  
10          hydraulic connection?

11          A.    Well, I would say that the Atlantic Ocean  
12          and the Pacific Ocean are hydraulically connected,  
13          so basing it in that sense, I believe that all  
14          geologic materials are hydraulically connected.

15          Q.    So the answer to my question then in some  
16          sense the Grace site is hydraulically connected to  
17          the aquifer serving wells G and H; is that right?

18          A.    Yes.

19          Q.    And is there another sense in which you  
20          use the word hydraulic connection?

21          A.    I only raise the cautionary statement  
22          because some people imply or use the word I think  
23          inappropriately when they talk about hydraulic  
24          connection and assume something greater than I

1 think I would assume when other people say  
2 hydraulic connection.

3 Q. How do you use the phrase hydraulic  
4 connection?

5 A. I use the phrase hydraulic connection as  
6 the water is in the ground. There are different  
7 materials in the ground. There is a continuity of  
8 water within the ground, so everything is  
9 hydraulically connected.

10 Q. Well, do you consider that the East Woburn  
11 aquifer has certain bounds or limits?

12 A. The aquifer, yes. Aquifer is a man  
13 derived term that generally originally was based on  
14 the economic feasibility of withdrawing water in  
15 sufficient quantities to supply a well, so  
16 typically when one looks at aquifers, particularly  
17 in the glaciated New England area, the wells are  
18 put in close to the rivers because the materials  
19 there are more conducive to providing water to  
20 wells.

21 Q. Do you consider that the, well, you do  
22 consider that East Woburn aquifer has certain  
23 bounds or limits conceptually; is that right?

24 MS. WOODWARD: Objection.

1 Q. Or has no bounds?

2 A. Well, I really don't know how to answer  
3 the question.

4 Q. What's troubling you?

5 MS. WOODWARD: Objection.

6 A. I don't understand what you mean. I  
7 explained to you that my view of the earth is sort  
8 of being unbounded. On the other sense the aquifer,  
9 the areas which is more productive for water  
10 producing and which is going to be the main  
11 contributor to the water does not include the whole  
12 world or the whole earth.

13 Q. Doctor Guswa then, you consider that the  
14 W. R. Grace site is part of the East Woburn aquifer  
15 serving wells G and H, is that right?

16 MS. WOODWARD: Objection.

17 A. I wouldn't call it part of the aquifer, no.

18 Q. Why wouldn't you call it part of the  
19 aquifer?

20 A. Because the aquifer has been defined by  
21 other people who have done their analysis and used  
22 the commonly accepted definition of aquifer.

23 Q. Well, how do you define it?

24 A. The aquifer is -- the only definition of

1 aquifer that I know, formal definition of aquifer,  
2 is materials that produce water in sufficient  
3 quantities to be economically feasible for  
4 development.

5 Q. Do you consider that the groundwater  
6 underneath the Grace site flows towards wells G & H?

7 A. I don't know whether it flows -- it flows,  
8 let me just say it flows in a general sense towards  
9 wells G and H. I don't know whether it gets to  
10 wells G and H.

11 Q. But you do -- in your opinion the  
12 groundwater underneath the Grace site does flow  
13 towards wells G and H?

14 A. That's right, at least as it is leaving  
15 the property, that's correct.

16 Q. Do you have an opinion that it stops  
17 someplace?

18 A. I don't know that it would stop but it  
19 might be diverted.

20 Q. Do you have an opinion where it is  
21 diverted?

22 A. I have an opinion where it might be diverted.

23 Q. Where is your opinion as to where it might  
24 be diverted?

1           A.     Can I get out a map to show you?

2           Q.     Certainly.  Be my guest.  Refreshing.

3           That's not the whole world now, is it?

4           A.     No.

5                     (Mr. Cheeseman joined the deposition).

6           A.     This is a map that was produced by the  
7           Geological Survey.  It is a hydrologic atlas HA 589.  
8           It is called Hydrology and Water Resources of the  
9           Coastal Drainage Basins of Northeastern  
10          Massachusetts from Castle Neck River, Ipswich, to  
11          Mystic River, Boston and it was published in 1990  
12          by the U. S. Geologic Survey; and this area on the  
13          western portion of sheet 2 of this atlas has what's  
14          defined or drawn to be the Aberjona River valley  
15          aquifer and that typically would be this dark blue  
16          area which parallels or generally coincides with  
17          the course of the Aberjona River.

18                    Now, as water comes off the -- see if  
19          I can find where I am here a minute.  This is the  
20          W. R. Grace property right here, I believe  
21          (Indicating).

22                    And this is well H and this is well G.  
23          This property is located -- this is what is  
24          referred to on this map as till, poorly sorted

1 glacial material with characteristically low  
2 transmissivity.

3           On another map, from a report  
4 entitled Glacial Geology of the Mystic Lakes-  
5 Fresh Pond area, Massachusetts which is U. S.  
6 Geological Survey Bulletin 1061 F -- find out where  
7 I am here again. That area is shown as a pink area  
8 on this map and is labeled ground moraine deposits,  
9 chiefly till, as one moves from position or the  
10 location of the W. R. Grace plant -- where is that  
11 again -- here down towards the center of the river  
12 valley, there is a color change on the map shown in  
13 the Hydrologic Atlas report.

14           That map, that color change is done  
15 to reflect the change in the water transmitting  
16 properties of the materials that exist there.  
17 Intermediate between the W. R. Grace plant and  
18 wells G and H there is a light blue area which  
19 actually I guess really is not light blue but it is  
20 white. There is -- it is a light blue area, sorry,  
21 which is a zone of low transmissivity. That area  
22 was determined for mapping purposes on the basis of  
23 one well log. Therefore the exact dimensions of  
24 that are really not known on the basis of this map.

1 There is an indication from this well that there is  
2 a zone of low transmissivity, perhaps a barrier to  
3 flow or diversion to flow, groundwater which is  
4 flowing in this direction.

5 My understanding is that the EPA has  
6 installed additional wells in this area. One of  
7 the pieces of information I'd like to get from that  
8 is to see whether or not this material exists and  
9 if it is as extensive as shown here, more extensive  
10 or less extensive, but I guess to summarize your  
11 question shortly, there is an area where there  
12 might be potential diversion away from wells G & H.

13 Q. Where would it be diverted to?

14 A. It would be diverted this way or it could  
15 be diverted that way. If it is diverted to the  
16 north, it would, could end up in wells G and H. If  
17 diverted to the south, it may not.

18 Q. Now, in making a determination, will you  
19 be able to make a determination from examining the  
20 well test data as to whether or not it was diverted  
21 or not?

22 MS. WOODWARD: Objection.

23 A. I could make a determination about the  
24 relative --

1 Q. I'm sorry.

2 No, I didn't mean to interfere with  
3 your answer.

4 A. I could not make a direct determination  
5 about whether it is diverted or not. I'd make an  
6 interpretation of whether it was diverted or not  
7 after some subsequent analysis.

8 Q. Let's have these marked as Guswa Exhibit 2  
9 and Guswa Exhibit 3.

10 (Hydrologic Atlas was marked Exhibit 2).

11 (Map from US Geological Survey was marked  
12 Exhibit 3).

13 (Off the record discussion).

14 MR. SCHLICHTMANN: In fact if you  
15 just Xerox the titles for me, that will be  
16 sufficient for me.

17 MS. WOODWARD: I'll do my best.

18 MR. SCHLICHTMANN: If you can't do it  
19 today, I'd like to have the originals because there  
20 has been a delay in my getting --

21 MS. WOODWARD: If there has been a  
22 delay, tell us now what that is.

23 MR. SCHLICHTMANN: Doctor Tannenbaum's  
24 resume which was marked, although I have asked Marc

1 several days running.

2 MS. WOODWARD: You'd make a request  
3 that I remind Marc to send it?

4 MR. SCHLICHTMANN: I don't think it  
5 is necessary to make a request. I am telling you  
6 my problems and hopefully you'll take care of them.

7 MS. WOODWARD: We'll do our best.

8 MR. SCHLICHTMANN: Can you give me a  
9 Xerox copy of these titles of these things today?

10 MS. WOODWARD: I'll do my best.

11 MR. SCHLICHTMANN: If you can't do it  
12 today, then I want the originals before the end of  
13 the day.

14 MS. WOODWARD: The originals will  
15 stay here because they are exhibits to this  
16 deposition.

17 MR. SCHLICHTMANN: That's right.  
18 They'll go with the stenographer.

19 MS. WOODWARD: That's right. If I  
20 can't give you Xeroxes of the titles today, I'll  
21 give you Xeroxes of the entire maps.

22 Q. Doctor Guswa, what type of data would you  
23 have to look at in order to make your  
24 interpretation as to whether the contaminants were

1 diverted from wells G and H on the Grace site?

2 MS. WOODWARD: Objection.

3 A. I believe the original question was  
4 whether water could be diverted around that. It  
5 would be my intention to upon receipt of the EPA  
6 information from the pump test including the well  
7 logs, the water level data and the elevation of the  
8 top of the casing which was used as the measuring  
9 point for water level data to take that information  
10 and formulate a conceptual model detailed  
11 understanding via cross sections, geologic sections  
12 of material of the flow system, and then translate  
13 that into a mathematical model and then a numerical  
14 groundwater flow model.

15 Q. What kind of information would lead you to  
16 believe that groundwater is diverted from the Grace  
17 site?

18 MS. WOODWARD: Objection.

19 Q. To wells G and H or excuse me, is diverted  
20 away from wells G and H from the Grace site?

21 A. The purpose of the analysis as to till, it  
22 is really not to see whether it is diverted away  
23 from wells G and H but to see in fact which way the  
24 groundwater does flow after it leaves the Cryovac

1 property and as it flows towards the Aberjona River  
2 valley.

3 Q. What kind of information would tend to  
4 indicate that the groundwater moved away from wells  
5 G and H from the Grace site?

6 MS. WOODWARD: Objection. Can you  
7 answer the question?

8 Q. I think he can answer it.

9 MS. WOODWARD: Can you understand it?

10 A. I thought I do. I think I do. I'll  
11 rephrase the question and answer; and if it is what  
12 you're asking, then I will answer the question.

13 Q. That's very nice of you, thank you.

14 MS. WOODWARD: Very helpful.

15 Q. Very nice.

16 A. You're asking what kind of information  
17 should be included in the analysis to evaluate --

18 Q. No.

19 A. Flow direction from the Cryovac plant.

20 Q. No, I want to know what type of  
21 information would tend to indicate to you that the  
22 groundwater from the Grace site was being diverted  
23 away from wells G and H?

24 MS. WOODWARD: Same objection.

1           A.    Well, I suppose if we had a tracer we  
2 could follow the tracer and see if that was  
3 diverted away.

4           Q.    A tracer would be something in the  
5 groundwater?

6           A.    Something that you would put in the  
7 groundwater.

8           Q.    And how would you be able to trace it if  
9 you put it in the groundwater?

10          A.    Well, I'm not a specialist in tracer  
11 technology, but there are different kinds of  
12 tracers. There are dye tracers. There are isotope  
13 type tracers, and you inject it at one location and  
14 have installed monitoring points where you take  
15 samples and see if the tracer occurred at that  
16 location, some subsequent location at some  
17 subsequent time.

18          Q.    And is the way that you use a tracer is  
19 that you put the tracer in one part of the  
20 geography of the site and then at various locations  
21 from that point you put monitoring wells to see if  
22 the tracer shows up in those monitoring wells?

23          A.    That would be one way of doing it, yes.

24          Q.    And if you put your tracer in one spot

1 geographically and then you would put monitoring  
2 wells down gradient from that?

3 A. In what you thought was a down gradient  
4 direction, that's right.

5 Q. If the tracing kept coming up in the  
6 monitoring wells, that would be an indication to  
7 you that the tracer was flowing with the -- that  
8 the groundwater was indeed flowing in the direction  
9 you thought?

10 A. That would be an indication that some of  
11 the water was flowing in that direction. If the  
12 tracer showed up in some other location, let's say  
13 there were a partial diversion and the tracer  
14 showed up in that other location, that would be an  
15 indication there was that sort of a diversion.

16 Q. And if the tracer showed up in several  
17 locations, that would indicate that you're mapping  
18 out the down gradient portion of the groundwater;  
19 is that right?

20 MS. WOODWARD: Objection.

21 A. The concept is you follow the tracer and  
22 you see where the tracer occurs to see where the  
23 groundwater is flowing.

24 Q. And the way that you trace the tracer is

1 by putting monitoring wells into the earth, into  
2 the groundwater, and seeing if the tracer shows up  
3 in the groundwater?

4 A. That's right.

5 Q. And if you have a general idea of what the  
6 groundwater flow is, the gradient is and the tracer  
7 keeps coming up and monitoring wells in the down  
8 gradient portion or what you believe to be the down  
9 gradient portion, then you conclude as an engineer  
10 and as a scientist that in all probability that the  
11 groundwater is flowing in the direction that you  
12 had thought. Is that right?

13 MS. WOODWARD: Objection.

14 A. Well, I think what you're saying is that  
15 if the tracer shows up in many different locations,  
16 it is showing that the groundwater is spreading out  
17 and additional water is being added from  
18 precipitation, etc., some of the water is flowing  
19 in each of the directions where the tracer has been  
20 found.

21 Q. And that spreading out of the tracer,  
22 that's known in your science as a plume; is that  
23 right?

24 MS. WOODWARD: Objection.

1           A.    Well, that's right, yes.

2           Q.    So in trying to find out where the  
3 groundwater was flowing, you would follow that  
4 plume of the tracer; is that right?

5           A.    That's right and you're assuming that you  
6 know exactly when and where the material was  
7 introduced and there were no other materials  
8 introduced of a similar composition.

9           Q.    Yes.

10          A.    That's right.

11          Q.    Now, Doctor Guswa, one thing you want to  
12 do then in determining whether -- when contaminants  
13 from the Grace site ended up at the wells G and H  
14 over a period of time is that you'd have to  
15 understand the travel time of the contaminants?

16                   MS. WOODWARD:  Objection.

17          A.    Well, I am not sure the contaminants ever  
18 got or chemicals ever got to wells G and H.

19          Q.    Uh-huh.

20          A.    So could you rephrase your question again?

21          Q.    One of the things you want to do in  
22 determining whether in fact contaminants from the  
23 Grace site ended up at wells G and H is determine  
24 if the groundwater flows to wells G and H from the

1 the Grace site?

2 A. That's right.

3 Q. That's the question you have right now,  
4 whether in fact the groundwater flows to wells G  
5 and H?

6 A. That's right.

7 Q. Now, if in fact the groundwater goes flow  
8 from the Grace site to wells G and H, is there  
9 something else you want to know?

10 A. Then you'd want to know how fast the water  
11 moves from the Grace site to the wells G and H and  
12 how fast any chemicals contained in that site would  
13 move.

14 Q. Have you made a determination how fast the  
15 groundwater moves off the Grace site?

16 A. No.

17 Q. And once you have determined how fast the  
18 groundwater moves and the direction of the  
19 groundwater, would you then be able to determine  
20 how long it took the contaminants from the Grace  
21 site to get to wells G and H?

22 MS. WOODWARD: Objection.

23 A. Once you determined how fast the  
24 groundwater moves and -- state the question again.

1 I just forgot.

2 Q. Yes, if you determined the groundwater  
3 moves from the Grace site to wells G and H and you  
4 determined how fast the groundwater moves from the  
5 Grace site to wells G and H, would that be  
6 sufficient for you to come to an opinion how long  
7 it took the contaminants from the Grace site to get  
8 to wells G and H?

9 MS. WOODWARD: Objection.

10 A. That would give you an opinion as to how  
11 fast contaminants or chemicals, if there were  
12 chemicals at the boundary of the property, how fast  
13 they would have taken to get to wells G and H.

14 Q. Yes.

15 A. It doesn't tell you anything about the  
16 length of time that would have been required or may  
17 have taken from any disposal or any disposal area  
18 or location, doesn't tell you anything about the  
19 length of time it would take to get from that  
20 location to the edge of the property.

21 Q. Well, to determine that, you'd still have  
22 to know how fast the groundwater moved on site; is  
23 that right?

24 A. That's right.

1 Q. Once you determined how fast groundwater  
2 moved on site, would you then have sufficient  
3 information for you to come to the opinion as to  
4 how long it took those contaminants to get from the  
5 Grace site to wells G and H?

6 MS. WOODWARD: Objection.

7 A. I think you'd have -- you have to look  
8 also at what happens, where the material was  
9 disposed or placed, how long it took to get from  
10 there down to the zone of saturation of the water  
11 table. Then if you were somehow able to quantify  
12 each of those individual subsets, you'd have  
13 information on which to form an opinion as well as  
14 an estimate of the uncertainty in that opinion.

15 Q. All right. But once you have determined  
16 where the sources of contamination were, once you  
17 have determined that those sources of contamination  
18 have reached the groundwater and once you have  
19 determined how fast groundwater moves in the site  
20 and from the site to wells G and H, you then have  
21 sufficient information for you to determine how  
22 long it took the contaminants to get to wells G and  
23 H; is that right?

24 MS. WOODWARD: Objection.

1           A.     Those are the general categories of  
2 information you have to have to determine, to  
3 calculate travel time.

4           Q.     You wouldn't have to calculate anything  
5 else other than those things; is that right?

6           A.     Excuse me, there is one other thing. You  
7 would need to know some information about the  
8 quantity of chemicals that may have been disposed.

9           Q.     Okay. And after you determined the  
10 quantity of the chemicals that were disposed, would  
11 you then have sufficient information how long it  
12 took contaminants to get from the site to wells G  
13 and H?

14                   MS. WOODWARD: Objection.

15           A.     You'd have to have additional information  
16 to determine whether or not they got to wells G and  
17 H and if there were sufficient quantity disposed of  
18 that it could have gotten to wells G and H, then  
19 you could make, form an opinion about the travel  
20 time, yes.

21           Q.     So the travel time then, assuming  
22 contaminants are in the groundwater at the Grace  
23 site, assumming that the contaminants are at the  
24 edge of the Grace property in the groundwater, once

1 you know that, once you know that there is  
2 groundwater contamination at the edge of the Grace  
3 property, all you need to know then, is how long  
4 the contaminants got from the Grace site to wells G  
5 and H, is to know how fast the groundwater moves;  
6 is that right?

7 MS. WOODWARD: Objection.

8 A. It would be how fast the contaminants or  
9 the chemicals in the groundwater would move.

10 Q. What would you have to have to know that?

11 A. Well, you would have to know what the  
12 chemical is that you're looking at and how it  
13 behaves physically, chemically and biologically or  
14 what the physical, chemical and biological  
15 processes that act on it as it moves through the  
16 ground.

17 Q. And do you have an opinion as to how  
18 trichloroethylene moves in the groundwater?

19 MS. WOODWARD: Objection.

20 A. My general opinion is that there are  
21 processes that act on it, but the magnitude of  
22 those processes I don't know. I am talking about  
23 processes such as chemical, biological and physical  
24 such as dispersion that would all affect it.

1 Q. Do you have any opinion as to how those  
2 things affect trichloroethylene in the groundwater?

3 A. Well, the physical dispersion would tend  
4 to reduce concentration. And the other processes  
5 that caused trichloroethylene to react would tend  
6 to reduce the concentration. That's the limit of  
7 my understanding of those things.

8 Q. Well, do you have an opinion as to how  
9 trichloroethylene was affected in the groundwater  
10 in this case?

11 MS. WOODWARD: Objection.

12 A. No.

13 Q. You haven't done the work. Do you intend  
14 to do the work? To determine that?

15 A. Specific details of measuring those  
16 particular properties, no.

17 Q. Why don't you intend to do that? It is  
18 not necessary in answering the question as to how  
19 long the contaminants got from the Grace site to  
20 wells G and H?

21 A. It is not that it is not necessary, it is  
22 that the values that you measure at one location  
23 may not be appropriate for another location, and so  
24 I don't know, how many points do you measure? I

1 don't know how many points to measure, would be  
2 necessary to make those determinations.

3 Q. If you don't measure those points or don't  
4 intend to, would you still be able to come to an  
5 opinion how long it took trichloroethylene from the  
6 Grace site to get to wells G and H?

7 A. I think you can still form an opinion, yes.

8 Q. Why can you still form an opinion even if  
9 you don't have that information?

10 A. Well, you can use some simplifying  
11 assumptions, standard practice. One assumption  
12 might be let's assume nothing happens to  
13 trichloroethylene as it moves through the ground.  
14 Look at travel times for the conditions when  
15 nothing happens to it. Look at the conditions --  
16 I'm not familiar with the information, but there  
17 are, I believe, reports available that talk about  
18 those kinds of factors that affect TCE, so there  
19 are reaction rates that could be incorporated into  
20 the analysis; and so you might say: Well, let's  
21 say there is an effect of a ten percent reduction  
22 in the travel time because of adsorption.

23 Let's suppose there is a certain  
24 amount of biodegradation that might go on. I don't

1 know what those numbers are. Those numbers can be  
2 incorporated and those are typically done either  
3 with what might be called a sensitivity analysis or  
4 a bracketing type analysis when there is  
5 information that affects the transport but which is  
6 not readily measurable or interpretable, you  
7 bracket the range of conditions likely to expect,  
8 calculate travel time for each of the alternate  
9 areas, and on the basis of that form an opinion  
10 which one thinks -- I would think would be the most  
11 likely condition to exist.

12 Q. Do you intend to make simplifying  
13 equations?

14 MS. WOODWARD: Objection.

15 A. I intend to do bracketing type analysis,  
16 yes.

17 Q. And what are the values you intend to use  
18 when you do your bracketing analysis?

19 A. I haven't made that determination yet.

20 Q. How would you obtain the information to  
21 make those bracketing analyses?

22 A. I would talk to people who do column  
23 experiments or laboratory experiments on TCE and  
24 the other chemicals that we're looking at to see

1        what their best knowledge is in terms of D K rates,  
2        D K rates and adsorption coefficients.

3            Q.     And would you rely on that information in  
4        making your calculations?

5            A.     I wouldn't replace it with my own  
6        independent analysis if that's what you mean.

7            Q.     Well, you would have received that  
8        information, you would independently analyze it  
9        yourself to satisfy yourself that it was valid and  
10       then you would use it in your calculation?

11          A.     Yes.

12          Q.     That's an appropriate scientific  
13        methodology in solving this problem as to how long  
14        it took contaminants from the Grace site to get to  
15        wells G and H; is that right?

16          A.     Well, the way you said it, it seems like  
17        it is, yes.

18          Q.     Good, all right. Tell me if I don't say  
19        it the right way.

20                    Doctor Guswa, do you have -- so it is  
21        important to you in figuring out whether in fact  
22        contaminants got from the Grace site to wells G and  
23        H as to when contaminants were first put on the  
24        property in the Grace site; is that right?

1 A. Yes, that would be important, yes.

2 Q. Well, do you have any understanding as to  
3 when the site first became contaminated?

4 A. No, I don't.

5 Q. Has that information been provided to you?

6 A. No, it hasn't.

7 Q. Have you asked for that information?

8 A. I have asked is there any information such  
9 as that and there is no factual information.

10 Q. Have you been told that there is no  
11 factual information as to when contamination was  
12 first introduced onto the Grace site?

13 A. I believe that there is no factual  
14 information. The answer is yes, I don't think  
15 anybody knows when it was first introduced on the  
16 Grace site.

17 Q. What kind of information would that be?  
18 What form would that information be?

19 A. Well, that would be some record of someone  
20 doing something to put contamination or put the  
21 chemicals on the Grace site.

22 Q. And how would, what would be the mechanism  
23 by putting contamination on the Grace site?

24 MS. WOODWARD: Objection.

1 Q. What would be the method that you would  
2 use?

3 A. I don't know what are the different  
4 methods of introducing contamination onto the Grace  
5 site.

6 MS. WOODWARD: Objection.

7 A. Well, I think that's something that people  
8 that work for W. R. Grace are still trying to  
9 figure out.

10 Q. And have they figured it out yet?

11 MS. WOODWARD: Objection.

12 A. If they have, they haven't told me.

13 Q. They haven't told me either. We all pray  
14 that they figure it out. Doctor Guswa, what would  
15 be the methods that would introduce contamination  
16 onto the Grace site? What are the different ways  
17 it could happen based on your experience?

18 MS. WOODWARD: Objection. Are you  
19 asking hypothetically what are the different  
20 possible ways or are you talking specifically about  
21 the site?

22 Q. May I have the question read to the  
23 witness, please?

24 (Question reread).

1           A.    Well, if we're just talking about wonder  
2 if type ways of which contaminants can be  
3 introduced into the ground or on the ground  
4 regardless of whether it is the Grace site or not,  
5 there may be a spill, there might be a leak. There  
6 might be dumping of wastes on the ground. There  
7 may be midnight dumpers, meaning people who just  
8 find a convenient spot to dump their material.  
9 There could be leaky sewers, there could be surface  
10 runoff that flows onto the site. I'm sure there  
11 are an infinite number more that I don't know about,  
12 that I couldn't name.

13           Q.    Would dumping on the site including  
14 digging pits on the property and pouring waste  
15 solvents into a pit?

16           A.    Well, that could be a way, yes.

17           Q.    Could another method of contaminating the  
18 site be pouring waste solvents down a storm drain  
19 which flowed into a drainage trench onto the  
20 property?

21           A.    That could be a way.

22           Q.    Could another way be just pouring waste  
23 solvents on the ground?

24           A.    That could be a way.

1           Q.    Now, all of those ways are capable of  
2           contaminating the groundwater in your opinion?

3                   MS. WOODWARD:  Objection.

4           A.    They are capable of putting the chemicals  
5           on the ground.  Whether or not they contaminate the  
6           groundwater would be a function of what happens to  
7           them once they reach the ground.

8           Q.    Well, what happens to them once they reach  
9           the ground?

10                   MS. WOODWARD:  Objection.

11           Q.    In your opinion?

12           A.    Well, there can be a lot of things that  
13           happen to them and particularly at a site like the  
14           W. R. Grace site which is ground moraine deposits  
15           which have extreme variability in their lithologic  
16           materials, once material is put on the land surface  
17           the initial -- I guess there are two initial  
18           reactions if we're talking about TCE.

19                   One is for volatilization to occur  
20           and the other -- so there is an upward component,  
21           some of it is going off into the air somehow.  
22           There would be a downward component or a tendency  
23           to move downward into the soil because of the  
24           effects of the gravity.  How far it moves down into

1 the soil will depend on the volume of material that  
2 was put on the ground or released at any particular  
3 time.

4 In cases where there is only a minor  
5 amount disposed, it is likely that material would  
6 not move very far into the ground before it is  
7 basically adsorbed or sucked into the soil due to  
8 capillary pressure similar, you know, water  
9 retention phenomenon that sort of maintains the  
10 plants and the trees that grow on the soil. So  
11 depending on the volume, it may not go very far.

12 If there is a sufficiently large  
13 volume you were put on, and I don't know how to  
14 quantify sufficiently large, then it may actually  
15 penetrate down completely to the water table. The  
16 length of time it would take to get to the water  
17 table would be dependent upon the kinds of material  
18 it encounters along the way, meaning what kinds of  
19 geologic materials, what the grain size, what the  
20 level of saturation in that material is. If the  
21 material is very dry, for instance, such as it  
22 probably was during the drought of the mid sixties,  
23 it is very unlikely anything would have gotten very  
24 deep into the soil because the soil was very

1 extremely dry and had a greater capacity for  
2 retaining fluids, whether it was rainfall or  
3 whether they were chemicals.

4 Q. Doctor Guswa, when it rains, does the rain,  
5 when it hits the ground, where does it go?

6 A. Some will go into the ground, some will  
7 run off. Some will evaporate. Some will move on  
8 through the ground depending on how much rain we  
9 have, some will make it down to the water table and  
10 some may not.

11 Q. When rain hits the ground and on ground  
12 which has been contaminated with trichloroethylene,  
13 is it your opinion that rain is going to carry with  
14 it some of that trichloroethylene into the  
15 groundwater?

16 MS. WOODWARD: Objection.

17 A. It is my opinion that it could, yes.

18 Well, excuse me, could but the  
19 fundamental principles are the same and that  
20 depending on the amount of rainfall, if it flows  
21 past the trichloroethylene, if it becomes dissolved  
22 in, if the trichloroethylene or the chemical  
23 becomes dissolved in the rainfall, and if the  
24 rainfall makes it to the water table, then it would

1 be carried down to the water table.

2 Q. And Doctor Guswa, would, is a better  
3 method of getting trichloroethylene or not a better  
4 method but a faster method of getting  
5 trichloroethylene into the groundwater if you had a  
6 pit in the ground several feet deep in which the  
7 waste solvents which contained trichloroethylene  
8 were poured into the pit?

9 MS. WOODWARD: Objection.

10 A. It would cause a release to be closer to  
11 the water table. It may or may not be faster  
12 depending on what kind of material is under the  
13 particular pit.

14 Q. Suppose it is rocky and gravelly?

15 MS. WOODWARD: Objection.

16 A. Well, that's not kind of good enough  
17 description because rocky or gravelly could be a  
18 very big rock or it could be ground moraine or it  
19 could be glacial outwash which is rocky also. What  
20 I was saying was that if all conditions were equal,  
21 meaning the same lithologic materials were  
22 encountered, the closer you release it to the water  
23 table, the greater the probability that it would  
24 get to the water table; but that's not universally

1 true because there are geologic -- there is  
2 geologic variability, so I can also conceive of  
3 situations where material excavated, would be  
4 excavated on top of a clay layer or a till layer  
5 with a very low permeability such that there would  
6 be no significant penetration or no rapid  
7 penetration of that material.

8 Q. Now, you have examined the test well  
9 results of the Grace property?

10 A. Yes. Well, I'm sorry. I have looked at  
11 the lithologic logs for the property and I have  
12 seen the slug test results.

13 Q. Have you seen the chemical analysis of the  
14 water on the grade size?

15 A. I have seen chemical analysis. I have  
16 probably seen all of it, most of it. I'm not sure.

17 Q. Do you have an opinion whether the  
18 chemical analysis shows the groundwater on the  
19 Grace site or any part of it is contaminated with  
20 chemicals?

21 A. There is contamination of the groundwater,  
22 the chemicals in the groundwater on the Grace site.

23 Q. How would you characterize it?

24 MS. WOODWARD: Objection.

1 A. Well, relative to what?

2 Q. Do you have any characterization?

3 MS. WOODWARD: Objection.

4 A. No, I don't.

5 Q. Would you call it a small amount, moderate  
6 amount, high amount?

7 MS. WOODWARD: Objection.

8 A. I would characterize it as from what I've  
9 seen ranging from none detected to several thousand  
10 parts per billion.

11 Q. Do you consider several thousand parts per  
12 billion to be a high amount of contamination?

13 MS. WOODWARD: Objection.

14 A. I really don't know.

15 Q. Do you have an opinion based on your  
16 experience as a hydrogeologist as to whether that  
17 is a high amount of contamination?

18 A. I have been in sites where contamination  
19 levels of five hundred thousand parts per billion  
20 have been recorded.

21 Q. You consider five hundred thousand a lot?

22 A. Well, I don't know. I guess it depends on  
23 what the chemical is.

24 Q. And are you familiar with how high the

1 chemical data, what some of the highest values of  
2 the chemical data are for the Grace site?

3 MS. WOODWARD: Objection.

4 A. I don't know if I'm familiar with what the  
5 highest is. I believe I have seen four thousand.  
6 I think four thousand parts per billion TCE.

7 Q. Have you seen anything higher?

8 A. I think I have seen 6 thousand TCE but I  
9 am not sure.

10 Q. Well, you consider those high?

11 MS. WOODWARD: Objection.

12 A. With respect to what or relative to what  
13 or for what purpose? I don't know.

14 Q. Would you consider them high for purposes  
15 of contamination of the aquifer in East Woburn?

16 MS. WOODWARD: Objection.

17 A. That's not an area that I have been asked  
18 to analyze. I am not a toxicologist. I am not an  
19 environmental fate and risk person. I will look at  
20 how groundwater moves from land surface down to the  
21 water table and through the ground and offer  
22 opinions about travel times and directions of  
23 movement, but it is not my area of expertise to  
24 talk about what is acceptable and not acceptable in

1 terms of levels of concentration.

2 Q. Well, do you consider trichloroethylene to  
3 be a hazardous material?

4 MS. WOODWARD: Objection.

5 A. I have not -- there are people that say  
6 that it is. There are people that say coffee is  
7 hazardous or people who say too much coffee is  
8 hazardous. I don't know.

9 Q. I want to know what your opinion is.

10 MR. WOODWARD: Jan, he told you he  
11 does not have an opinion. He is not a toxicologist.

12 Q. You can make your objection.

13 A. I have no opinion.

14 Q. You have no opinion whether  
15 trichloroethylene is hazardous; is that right?

16 A. That's right.

17 Q. Now, you work as a hydrogeologist?

18 A. Yes.

19 Q. And you have investigated several sites?

20 A. Yes.

21 Q. Sites where manufacturing plants have  
22 contaminated the groundwater?

23 MS. WOODWARD: Objection.

24 A. The sites I have worked at generally have

1 included abandoned landfills, active landfills,  
2 waste processing facilities I believe is the  
3 euphemism that was used for the operation, barrel  
4 cleaning type operations.

5 I have never worked -- to my  
6 knowledge I have never worked then on a site that  
7 was either a manufacturing or processing facility.

8 Q. Doctor Guswa, I want you to assume that  
9 the groundwater in fact moves from the Grace site  
10 to wells G and H. I want you to assume you have  
11 done your investigation, your analysis and you have  
12 determined that the groundwater does move from the  
13 Grace site to wells G and H.

14 A. Uh-huh.

15 Q. Now based on the levels of contamination  
16 at the Grace site that you saw and with the  
17 assumption that the groundwater in fact moves to  
18 wells G and H, in your opinion at some -- over some  
19 period of time those contaminants from the Grace  
20 site will arrive at wells G and H; is that right?

21 MS. WOODWARD: Objection.

22 A. Let me just go over the assumption again.  
23 The assumption that the chemicals or the water does  
24 move from the Grace site to wells G and H?

1 Q. Yes.

2 A. And there are chemicals in the groundwater?

3 Q. Yes.

4 A. And so then the only thing I guess that I  
5 would need to know is the persistence of the  
6 chemicals, meaning what happens to them physically,  
7 biologically and chemically as they move from the  
8 Grace plant to wells G and H. Am I to make an  
9 assumption about that also?

10 Q. Yes.

11 A. Which is what?

12 Q. That they remain in the groundwater.

13 A. So there is no chemical transformation,  
14 physical transformation?

15 Q. That's correct.

16 A. Well, I think you asked me to assume they  
17 got there and I have concluded that they get there.

18 Q. Now, it wouldn't make any difference to  
19 you the levels of contamination in the groundwater;  
20 is that right?

21 A. As to whether they got to wells G and H?

22 Q. Yes.

23 A. No.

24 Q. Why is that?

1           A.     Because you told me to assume that they  
2 got to wells, that the water goes to wells G and H,  
3 that there is nothing that happens to the chemicals  
4 as they flow from the plant to wells G and H.

5           Q.     Yes.

6           A.     So if nothing happens to them and the  
7 water is going to wells G and H, then regardless of  
8 the level of concentration, they would get to wells  
9 G and H.

10          Q.     Now, in your examining the chemical data  
11 concerning the Grace site, it is clear to you, is  
12 it not, that past site activities contaminated the  
13 groundwater at some time?

14                   MS. WOODWARD:  Objection.

15          A.     It is my opinion that there is, there are  
16 chemicals in the groundwater beneath the Grace site  
17 and they appear to be originating from somewhere on  
18 the Grace property, yes.

19          Q.     And in your analysis of the data, is it  
20 also clear to you the locations where those sources  
21 of contamination are?

22          A.     No.

23          Q.     Is it --

24          A.     Well, no, it is not.  The exact location

1 is not clear.

2 Q. Is it clear or have you in examining the  
3 data, does the data indicate to you that one of the  
4 sources of contamination is underneath the present  
5 building?

6 MS. WOODWARD: Objection.

7 A. No, I don't know that as a source.

8 Q. You don't know that as a source?

9 A. No.

10 Q. Have you been shown any data indicating  
11 that the source of contamination, one of the  
12 sources of contamination at the site is underneath  
13 the building?

14 A. No.

15 Q. Have you seen any chemical data of wells  
16 around the building?

17 A. I have seen chemical data for -- I believe  
18 it is wells 13 and 14 along a trench that goes  
19 along the south side of the building.

20 Q. Are you aware that 13 and 14 go along the  
21 south wall of the building?

22 A. Yes.

23 Q. And did the levels of contamination in  
24 wells 13 and 14 that you have seen indicate to you

1 that there is a source of contamination underneath  
2 the building in that location?

3 A. Well, it indicates to me that the trench  
4 might be the source of contamination, source of the  
5 chemicals.

6 Q. Are you aware that the trench existed  
7 where there is now the second addition to the  
8 building?

9 A. That's right, yes.

10 Q. So?

11 A. You're talking about the additions to the  
12 building that went over the trench?

13 Q. Yes. So you're looking at the chemical  
14 data, it is an indication to you that the trench  
15 that is now built over by the second addition is  
16 one of the sources of contamination?

17 MS. WOODWARD: Objection.

18 A. The chemical data I look at in the wells  
19 on the southwest portion of the property, that data  
20 is consistent with that trench being a possible  
21 source of the chemicals, yes.

22 Q. Have you seen chemical data concerning the  
23 north side of the building?

24 A. I have seen something for well W 19.

1 Q. And what have you seen?

2 A. I have seen four analyses and I remember  
3 some puzzlement over the TCE concentrations in well  
4 W 19 as one value that is in the sixty thousand  
5 parts per billion and then others that are I think  
6 the replicate or duplicate for that, I am not sure  
7 what it was or what term it is, was in six thousand  
8 parts per billion; and then subsequent samples at  
9 two different dates were in that same range maybe  
10 up to eight thousand parts per billion.

11 Q. What does that indicate to you as to the  
12 area near well 19? Is that also another source of  
13 contamination?

14 MS. WOODWARD: Objection.

15 A. Well, it indicates that there are  
16 chemicals in the groundwater at that location, yes.

17 Q. And that would be a local source right at  
18 that well?

19 MS. WOODWARD: Objection.

20 A. It may be.

21 Q. And have you seen the well, chemical well  
22 data for the wells in the field in back of the  
23 property?

24 MS. WOODWARD: Objection. If you

1 know what wells he is talk about?

2 A. No, I don't.

3 Q. Wells 6 A and 6 B and 6 C.

4 A. I think, yeah, I think I have seen well  
5 data.

6 Q. Have you noted any levels of contamination  
7 in those wells?

8 A. I think, as I recall, there is an  
9 indication of some chemicals there. Generally in  
10 the -- my recollection is like ten parts per  
11 billion or less.

12 Q. Are you aware in that area that there are  
13 wells in the 6, 7, 8, 10 thousand parts per billion  
14 range?

15 MS. WOODWARD: Objection.

16 A. No, I'm not.

17 Q. Have you been informed that concerning the  
18 results of the site investigation this past July on  
19 the Grace site?

20 A. Site investigation by whom?

21 Q. Geoenvironmental, the Environmental  
22 Protection Agency and Weston Geophysical?

23 A. Is that Geoenvironmental report phase one  
24 through three or something like that?

1 Q. No that was previous to that. I am  
2 talking about this.

3 A. Is this the trenching over the fourth of  
4 July weekend?

5 Q. Yes.

6 A. I know that something happened, yes.

7 Q. Based on your analysis of the information  
8 produced during that site investigation, do you  
9 have any opinions as to whether there are any  
10 sources, major sources of contamination at the site  
11 in that field?

12 MS. WOODWARD: Objection.

13 What field are you talking about?

14 Q. The field on the Grace site.

15 A. I know there was some concern or I believe  
16 there was some concern about a pit and that there  
17 was, has been, is, has been sort of an interest;  
18 and there were a lot of geophysical or magnetometer,  
19 seismometer services out there and then some  
20 trenching done to investigate whether or not that  
21 was a source of chemicals; and my understanding now  
22 is that there were barrels found in the pit but  
23 that the concentration levels are indicative that  
24 it is not a source of chemicals.

1 Q. That would be the area where wells 10 are  
2 now?

3 A. I guess. I am not that familiar with  
4 where that trenching took place.

5 Q. Are you aware that there was an excavation  
6 this past July which indicated another pit that had  
7 been dug on the property?

8 MS. WOODWARD: Objection.

9 A. I don't know. I know that there was an  
10 analysis to see whether or not there was another  
11 pit. My understanding was that the results  
12 indicated that there was no second pit, but I'm not  
13 sure about that.

14 Q. Well, if wells on the property to the rear  
15 of the property in the vicinity of 6 A, B and C  
16 were showing levels of contamination of 6, 7, 8,  
17 ten thousand parts per billion of various  
18 contaminants, would that be an indication to you  
19 that there was another source of contamination?

20 MS. WOODWARD: Objection. Do you  
21 know exactly where these wells are?

22 A. I have an idea where the wells are. The  
23 chemical information is confusing to me because my  
24 understanding or what I have seen are that those

1 values are not appropriate for those wells.

2 Q. Well, if they are in that area, there is  
3 well data indicating contamination of wells in that  
4 area, what would that indicate to you?

5 A. It would indicate the water that was  
6 pumped out of those wells had that level of  
7 chemicals in it.

8 Q. What would those levels indicate to you?  
9 Would that indicate that was another source of  
10 contamination?

11 MS. WOODWARD: What levels are you  
12 talking about, Jan?

13 MR. SCHLICHTMANN: You want to make  
14 an objection?

15 MS. WOODWARD: Your questions have  
16 lost all content over the last five minutes. Yes,  
17 I'll make an objection.

18 MR. SCHLICHTMANN: Good. Continue to  
19 do that and make your objection. Everything will  
20 be fine.

21 A. Well, if the contamination levels were six  
22 or seven thousand parts per billion at those  
23 locations, I would suggest there was a source of  
24 chemicals to those wells nearby I guess. I am not

1 really sure to be honest.

2 Q. Have you been informed that the -- since  
3 the plant's existence in June of 1960 as to the  
4 methods that the plant used for disposal of their  
5 waste solvents?

6 MS. WOODWARD: Objection.

7 A. I don't think there is. I mean I have  
8 asked for whatever detailed information would be  
9 provided on, you know, what was done, and basically  
10 there is no detailed information. My general  
11 understanding of the practices would be that there  
12 is sort of a chronic or episodic release somehow of  
13 small volumes, but I don't know exactly when and  
14 exactly where and exactly how. Storm drains may be  
15 involved, people going to the back and spreading it  
16 on the land surface may be involved.

17 Q. How about the pouring of waste solvents  
18 into pits?

19 A. I have not -- I know that there is a  
20 concern about that, but I don't know that that  
21 actually happened. I have not been told that that  
22 actually happened.

23 Q. Have you been informed or have you  
24 received any information indicating that since the

1 plant began its existence in June of 1960, that the  
2 method of disposing of waste solvents at the plant  
3 was by pouring the waste solvents on the ground  
4 into, down the storm drains and into pits?

5 MS. WOODWARD: Objection.

6 Q. Or trenches on the property?

7 A. I am sorry. My mind wanders.

8 (Question was reread).

9 A. I have not been informed that that's  
10 actually what happened. There are chemicals in the  
11 groundwater and they got there somehow and I guess  
12 that's maybe something that I have put together on  
13 the basis of other discussions during our meetings  
14 that seems to be the most likely method of which  
15 this stuff could have gotten in the ground.

16 Q. The one I have described?

17 A. Yes.

18 (Brief interruption).

19 (Recessed from 10:00 AM until 10:04 AM).

20 Q. Doctor Guswa, have you done any analysis  
21 or any investigation concerning the Beatrice site?

22 A. No, I haven't.

23 Q. Do you have any opinions as to whether the  
24 Beatrice site contributed to the pollution of wells

1 G and H?

2 A. No, I don't.

3 Q. Do you in your knowing what you know about  
4 the aquifer and the information that you do have,  
5 do you have any understanding as to how the  
6 Beatrice site could under some circumstances  
7 contribute to the pollution of wells G and H?

8 MS. WOODWARD: Objection.

9 A. The answer to that question is no.

10 Q. You have no understanding?

11 A. I have an understanding of how water could  
12 flow from, under what conditions it may be possible  
13 for water to flow from under the Beatrice site  
14 towards wells G and H. Whether or not I guess if I  
15 made some assumptions about the presence of  
16 contamination at the time I guess then you could  
17 make the final analysis, yes.

18 Q. Well, do you know anything about the  
19 levels of contamination on the Beatrice site?

20 A. I have seen levels of contamination for  
21 1984 and 1985 data, yes.

22 Q. Would you consider those to be high levels  
23 of contamination?

24 MS. WOODWARD: Objection.

1           A.    I think I have seen numbers as high as a  
2 hundred thousand parts per billion.

3           Q.    Do you consider that to be a high level of  
4 contamination?

5           A.    I think we went through this.  I'm not --  
6 I don't make determinations of high or low.

7           Q.    Do you have any opinions as to based on  
8 the data that you have seen whether the Beatrice  
9 site is a potential source of contamination of  
10 wells G and H?

11          A.    Do you mean if the wells were to be put on  
12 line now?

13          Q.    Yes.

14          A.    Well, I hope the EPA pump test will help  
15 answer that question but I have not looked at the  
16 data for the Beatrice site to make that  
17 determination.

18                   (Mr. Frederico joined the deposition).

19          Q.    And how would the pump test data indicate  
20 that the Beatrice site is a source of contamination  
21 of wells G and H?

22                   MS. WOODWARD:  Objection.

23                   MR. FREDERICO:  Objection.

24          A.    The question you asked which I was trying

1 to answer is under what conditions could  
2 contaminants or chemicals at Beatrice reach wells G  
3 and H?

4 A. Yes.

5 Q. Now, if the wells are turned on now and if  
6 the water level change is such that there is a  
7 hydraulic gradient from the Beatrice property  
8 toward wells G and H and if there is nothing to  
9 prevent the chemicals that are in the groundwater  
10 beneath the Beatrice property to get to wells G and  
11 H, then under those conditions, it could be a  
12 source of contamination. I don't know, I have not  
13 seen and we hope to see that very soon what the  
14 actual cone of depression looked like as a result  
15 of the pumping for the EPA pump test. I don't know  
16 whether or not there is a groundwater divide  
17 between Beatrice or not or whether there is a  
18 gradient for Beatrice towards the wells. That was  
19 the purpose of doing the test.

20 A. Under those conditions I described, there  
21 are flow direction from Beatrice towards wells G  
22 and H.

23 Q. If there --

24 A. There could be --

1           Q.     If there was no groundwater divide between  
2 the Beatrice site and wells G and H and the  
3 gradient?

4           A.     Was towards?

5           Q.     Towards wells G and H from the Beatrice  
6 site, then in your opinion if there was drawdown  
7 when wells G and H were pumping during the pump  
8 test, drawdown on the Beatrice site, in your  
9 opinion then the Beatrice site was a source of  
10 contamination of wells G and H?

11                   MR. FREDERICO:  Objection.

12                   MS. WOODWARD:  Objection.

13           A.     That's not actually what I said.  There  
14 can be drawdown without a gradient back towards.  
15 That's one of the reasons for asking, you know, for  
16 the long term pumping, in my understanding of why  
17 EPA wanted to do the long term pumping test, was  
18 that there can be drawdown without actual flow  
19 toward the pumping wells, so the important thing is  
20 to put that all in the perspective of what are the  
21 potential gradients or the heads out from the  
22 aquifer and is there a potential to flow towards  
23 well G and H.

24           Q.     And the potential would be based on the

1 gradient?

2 A. The gradient is a measure of the change of  
3 potential between two different locations.

4 Q. So if there is a gradient --

5 A. If there is a gradient towards wells G & H.

6 MR. FREDERICO: Objection.

7 A. If there was a gradient towards wells G  
8 and H then and was defined sufficiently well to  
9 make sure that there were no changes in that  
10 gradient between there and wells G and H?

11 Q. Yes.

12 A. Then water would flow in that direction.

13 Q. When the wells were pumping?

14 A. When the wells were pumping, that's right.

15 Q. So the answer to my question then, Doctor  
16 Guswa, is that if there were no groundwater divide  
17 between the Beatrice site and wells G and H, if the  
18 gradient from the Beatrice site to wells G and H  
19 and during the pump test there was drawdown on the  
20 Beatrice property in your opinion then, the  
21 Beatrice site was a source of contamination of  
22 wells G and H?

23 MS. WOODWARD: Objection.

24 MR. FREDERICO: Objection.

1           A.     It is only my opinion that water would  
2 flow from the Beatrice property to wells G and H.  
3 Whether or not there were chemicals on site, when G  
4 and H were in fact pumping, I have no information  
5 about that at all.

6           Q.     If there were contamination in the  
7 groundwater during the period of time that the  
8 wells G and H were pumping and with those other  
9 assumptions that I gave you, then in your opinion  
10 the Beatrice site was a source of contamination of  
11 wells G and H; is that right?

12                   MR. FREDERICO:  Objection.

13                   MS. WOODWARD:  Objection.

14           A.     You have to do the same sort of travel  
15 time calculation; but if they were there early  
16 enough in the groundwater beneath the Beatrice  
17 property and sort of like the same question as  
18 before.  If they were in the groundwater beneath  
19 the property prior to -- sufficiently prior to the  
20 wells going on such was enough time for them to  
21 reach the wells and there was nothing to divert  
22 them from flowing to the wells and there was  
23 nothing to happen to them chemically, biologically  
24 or physically as they were going to the wells, then

1 they would get to the wells.

2 Q. Now, Dr. Guswa, in any of the information  
3 you have seen, do you have any other indication  
4 that there is another source of contamination of  
5 wells G and H?

6 A. I'm still --

7 MR. FREDERICO: Objection.

8 A. I am still reviewing a lot of reports and  
9 letters and memorandums that come back, so I would  
10 say 1950s, as an example, there is a 1958 Whitman  
11 and Howard report published prior to the  
12 installation of wells G and H that refers to the  
13 contamination that exists in the Aberjona River at  
14 the location of wells G and H and recommends that  
15 wells not in fact be installed.

16 There is another report that's  
17 actually -- I don't know how this is actually  
18 published. It is two different reports. One is a  
19 Massachusetts DEQE report entitled Surface Waste  
20 Impoundments in Massachusetts, a survey report,  
21 November 17, 1980; and there is a companion report  
22 labeled Water Resources Investigations, Open File  
23 Report 80-431 entitled --

24 MR. SCHLICHTMANN: Going to have

1 trouble making copies of these exhibits.

2 A. Distribution of Aquifers, Liquid Waste  
3 Impoundments and Municipal Water Supply Sources,  
4 Massachusetts and that's a 1980 publication and I  
5 gave you the citation to that. This was a result  
6 of a joint study by EPA, the US Geological Survey  
7 and the Massachusetts Water Resources Commission to  
8 sort of, it went under the euphemism, or not  
9 euphemism but the acronym: Pits, ponds and lagoons,  
10 to do a survey across the state of impoundments in  
11 those kinds of areas.

12 And there is a map actually in the  
13 Massachusetts DEQE report -- trying to find it,  
14 which is on page 9-3 and put it in context. This  
15 report describes an analysis of the whole state to  
16 summarize and to make I think clear to people who  
17 are reading this report how this investigation  
18 worked and what the results were, they gave us sort  
19 of a little case study of one of the state  
20 quadrangles.

21 In this case they used the Wilmington,  
22 Massachusetts topographic quad in which they  
23 identified areas where there were known municipal  
24 wells, impoundments, landfills and which had been

1 field checked and verified that they existed and  
2 then listed a description of those chemical sources;  
3 and so just looking at this map, looking at the  
4 fact the other map that we had, the one that showed  
5 the hydrogeologic setting of the Aberjona River  
6 valley, there are numerous, in my opinion, numerous  
7 potential sources of contamination to the Aberjona  
8 River valley aquifer that exist upstream from wells  
9 G and H, and these would include any and all  
10 abandoned waste impoundments, lagoons, etc., that  
11 exist upgradient.

12 They would include culverts, drainage  
13 ditches that drain parking lots, that drain Route  
14 128, might drain Route 93. They would include the  
15 piggeries that were located up there, the Woburn  
16 town landfill. They would include the Mishawum  
17 Lake dredging operation and filling operation.

18 I have not looked at any of these in  
19 any particular detail, but it seems to me that  
20 there are a significant number of potential sources  
21 of contamination.

22 Q. Doctor Guswa, have you identified any  
23 plumes of contamination polluting wells G and H?

24 MS. WOODWARD: Objection.

1 A. No.

2 Q. You haven't identified any?

3 (Witness shook head).

4 Q. Is it necessary for contaminations to  
5 follow a plume to get to wells G and H?

6 MS. WOODWARD: Objection.

7 MR. FREDERICO: Objection.

8 A. It is the mechanism conceptually. Let's  
9 look at water flow within the Aberjona River valley  
10 and I will use this as sort of an illustration  
11 (Referring to Exhibit 2).

12 The purpose that wells G and H were  
13 installed where they were located was to take  
14 advantage of the fact that it is a marshy area, it  
15 is generally over the deepest part of the aquifer  
16 and there is a stream nearby and wells are  
17 typically installed in glacial deposits like this  
18 near the streams in order to intercept one river  
19 flow that is flowing down the river, or two, the  
20 groundwater flow which is accumulating in the  
21 center of the valley.

22 So it is not necessarily -- it is a  
23 combination of induced recharge from the river plus  
24 diverted groundwater discharge that normally would

1 flow to the river but now doesn't because as the  
2 wells are pumping, a cone of depression would  
3 extend up the valley and intercept water which  
4 normally would flow to the stream but now keeps it  
5 in the ground and carries it to the well.

6 Now, as these wells cycle off and on  
7 and as the stream flows, ebbs and flows as it  
8 normally does, I don't think in this kind of a  
9 context with so many numerous potential sources  
10 coming on either side of the rivers that you could  
11 say there would be a well defined or even  
12 reasonably, or even moderately well defined  
13 contaminant plume.

14 Q. Have you identified one?

15 A. No.

16 MR. SCHLICHTMANN: Talk to you for a  
17 minute.

18 Why don't we have this one and that  
19 one marked.

20 (DEQE Map was marked Exhibit 4 and  
21 Water Resources Investigations book  
22 was marked Exhibit 5).

23 MR. SCHLICHTMANN: We'll reserve our  
24 right to depose Doctor Guswa when he forms his

1 opinion after he has seen the information.

2 MS. WOODWARD: Assuming we'll have  
3 the same right to depose your experts after they  
4 have formed their opinions and conclude their  
5 analysis.

6 MR. SCHLICHTMANN: Since they already  
7 have and you have deposed them --

8 MS. WOODWARD: The testimony on that  
9 speaks for itself, Jan, but you can say whatever  
10 you want to say on the record.

11 MR. SCHLICHTMANN: All right. Let's  
12 have this marked.

13 THE REPORTER: It was.

14 Q. Have you identified any sources, any  
15 sources of contamination of wells G and H?

16 A. No.

17 Q. Do you intend to?

18 MR. FREDERICO: Objection.

19 A. I don't believe so.

20 Q. You don't believe so. And Doctor Guswa,  
21 do you know who George Pinder is?

22 A. Yes, I do.

23 Q. Are you aware of the fact he has been  
24 retained by the plaintiffs in this case?

1 A. Yes, I am.

2 Q. And he has conducted a hydrogeological  
3 investigation of the area?

4 A. Yes, I know he has spent some time in the  
5 same way that I have, yes.

6 Q. Have you read his deposition?

7 A. Yes, I have.

8 Q. And in reading his deposition is there  
9 anything in there which you disagree with?

10 MR. FREDERICO: Objection.

11 A. I'm not quite sure what you mean by  
12 disagree with.

13 Q. When you read his deposition, was there  
14 any statements that Doctor Pinder made which you  
15 disagreed with?

16 MR. FREDERICO: Objection.

17 A. I don't recall very many definite  
18 statements in his deposition.

19 Q. Well, do you remember he gave his opinion  
20 about who the sources of contamination were for  
21 wells G and H?

22 A. My understanding is he gave his opinion  
23 based on the assumption that those were the sources.

24 Q. Well, are you aware in his testimony he

1 did testify that those were, that W. R. Grace and  
2 Beatrice Foods were the sources of contamination of  
3 wells G and H?

4 MR. FREDERICO: Objection.

5 MS. WOODWARD: Objection.

6 A. My understanding is that's what he said in  
7 his deposition.

8 Q. Well, do you agree with that --

9 MR. FREDERICO: Objection.

10 MS. WOODWARD: Objection.

11 Q. Opinion?

12 A. No, I haven't completed my analysis yet.

13 Q. But you don't disagree with Doctor Pinder?

14 MR. FREDERICO: Objection.

15 MS. WOODWARD: Objection.

16 A. I have no basis on which to agree or  
17 disagree.

18 Q. Because you haven't completed your  
19 investigation?

20 MR. FREDERICO: Objection.

21 A. That's right.

22 Q. So you haven't formed your opinion?

23 MR. FREDERICO: Objection.

24 MS. WOODWARD: Objection.

1 Q. On that point?

2 A. That's correct.

3 Q. And are you aware of Doctor Pinder's  
4 reputation in the community?

5 MS. WOODWARD: Objection.

6 A. Yes.

7 Q. And how would you characterize Doctor  
8 Pinder's reputation in the community?

9 MR. FREDERICO: Objection.

10 A. I'm sure that would depend on who you talk  
11 to, but George is a well known groundwater  
12 hydrologist.

13 Q. How do you consider him?

14 MR. FREDERICO: Objection.

15 MS. WOODWARD: Objection.

16 A. I worked with George in the Geological  
17 Survey. I have had dinner with him. We have  
18 worked against each other and I respect him as a  
19 person and as a professional.

20 Q. Now, is there any statements, any  
21 scientific statements of fact, and I don't mean his  
22 opinion now, but I mean were there any statements  
23 that he made based on science and which you read in  
24 the deposition to which you disagreed with?

1 MS. WOODWARD: Objection.

2 MR. FREDERICO: Objection.

3 A. I don't think I can answer that because I  
4 haven't read his entire deposition and so I can't  
5 answer it.

6 Q. Did you read his first day's deposition?

7 MS. WOODWARD: Objection.

8 A. Maybe you can summarize it for me so I can  
9 tell you. I haven't read every deposition in its  
10 entirety.

11 Q. He's had two depositions.

12 MS. WOODWARD: Objection, Jan.

13 Q. Have you read two volumes?

14 MS. WOODWARD: He has had three.

15 Q. That's right.

16 A. I have three volumes. I have not read  
17 each in its entirety.

18 Q. That's right, Amy. I wasn't at the other  
19 one. Three volumes. You haven't read all three?

20 A. No.

21 Q. Are you curious as to how it ends?

22 MS. WOODWARD: It hasn't ended, Jan.

23 A. Can you tell me?

24 Q. How many volumes have you read?

1           A.    I have read parts of all three volumes.  I  
2 have not read each volume in its entirety.

3           Q.    Of the parts you remembered, did Doctor  
4 Pinder in his deposition make any statements of  
5 science to which you disagreed?

6                   MR. FREDERICO:  Objection.

7                   MS. WOODWARD:  Objection.

8           A.    I am not -- I don't know any statements of  
9 science that he made actually.  Could you refresh  
10 me?

11          Q.    Do you recall that he made any statements?

12          A.    I'm sure he did.  I'm sure he did.  I  
13 don't recall an outright statement saying that is  
14 an inappropriate scientific interpretation.  That's  
15 not to say that there aren't some in there I  
16 haven't read yet, nor is it to say that I wouldn't  
17 approach the same problem differently than he would.

18          Q.    But nothing that you read which you  
19 disagree with as a statement of science?

20                   MS. WOODWARD:  Objection.

21                   MR. FREDERICO:  Objection.

22          A.    No.

23          Q.    And Doctor Guswa, in your opinion are  
24 water level measurements taken during the pump test

1 more, give you more insight or more -- are they  
2 more revealing concerning the transmissivity of  
3 soil than individual slug tests at a well?

4 MR. FREDERICO: Objection.

5 A. I think those two actually are --  
6 rephrase the question or restate the question.

7 Q. Read it back.

8 (Question was reread).

9 A. They are designed to do different things.  
10 A slug test is a short term hydraulic test with  
11 respect to near proximity of the screened interval  
12 of a well. A long term test, pumping test, can be  
13 used to calculate the same coefficients, but it  
14 represents a different volume of the aquifer than  
15 the slug test does, so that let's say  
16 transmissivity calculated from, if you did it from  
17 a large pump test would represent the  
18 transmissivity of the area contained totally within  
19 the cone of depression of that pumping well, and it  
20 is an average value that integrates the whole  
21 volume of that cone.

22 Similarly, a slug test does the same  
23 thing except a slug test is limited in the size in  
24 which it is evaluating, so particularly in

1 contaminant transport studies, people rely on the  
2 slug test to look at local variability in water  
3 transmitting properties whereas in a large scale  
4 pump test, that's generally not as useful in  
5 evaluating transport phenomena because it doesn't  
6 look at the individual heterogeneity or lithologic  
7 variation.

8 Q. It gives the whole picture?

9 A. It gives us an average value over the  
10 whole area.

11 MR. SCHLICHTMANN: Thank you very  
12 much. I appreciate it, Doctor.

13 (Deposition adjourned at 10:27 AM).  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24

1 Excerpt from Rule 30 (e):

2 Submission to Witness; Changes;  
3 Signing. When the testimony is fully transcribed  
4 the deposition shall be submitted to the witness  
5 for examination and shall be read to or by him,  
6 unless such examination and reading are waived by  
7 the witness and by the parties. Any changes in  
8 form or substance which the witness desires to  
9 make shall be entered upon the deposition by the  
10 officer with a statement of the reasons given by  
11 the witness for making them.

12 \* \* \* \* \*

13 I, JOHN H. GUSWA, have read the  
14 foregoing transcript of my testimony and it is  
15 true and correct to the best of my knowledge,  
16 information and belief.

17 Deponent's Signature

18 That on \_\_\_\_\_, 1986, the  
19 foregoing deposition was submitted to JOHN H. GUSWA,  
20 the witness, for examination and was read by the  
21 witness, at which time any changes desired were  
22 entered upon the deposition, and that thereafter  
23 the deposition was signed by the witness before me.

24 -----  
Notary Public in and for the  
Commonwealth of Massachusetts.

My Commission expires

1 COMMONWEALTH OF MASSACHUSETTS)  
 2 ) ss.  
 3 COUNTY OF SUFFOLK )  
 4

5 I, Nancy L. Eaton, a Notary Public  
 6 within and for the Commonwealth of Massachusetts,  
 7 duly commissioned, qualified and authorized to  
 8 administer oaths and to take and certify  
 9 depositions, do hereby certify that heretofore,  
 10 on the date cited above, the witness personally  
 11 appeared before me at the above location and  
 12 testified in the above captioned case; that the  
 13 said witness was by me duly sworn to testify to the  
 14 truth, the whole truth and nothing but the truth,  
 15 that thereupon and while said witness was under  
 16 oath, the deposition was taken down by me  
 17 in machine shorthand at the time and place therein  
 18 named and was reduced to typewriting thereafter.

19 I further certify that the said  
 20 deposition constitutes a true record of the  
 21 testimony given by the said witness.

22 I further certify that I am not  
 23 interested in the event of this action.

24 IN WITNESS WHEREOF, I have hereunto  
 subscribed my hand and affixed my seal of office  
 this 26th day of January, 1986.

25  
 26  
 27  
 28  
 29  
 30  
 31  
 32  
 33  
 34  
 35  
 36  
 37  
 38  
 39  
 40  
 41  
 42  
 43  
 44  
 45  
 46  
 47  
 48  
 49  
 50  
 51  
 52  
 53  
 54  
 55  
 56  
 57  
 58  
 59  
 60  
 61  
 62  
 63  
 64  
 65  
 66  
 67  
 68  
 69  
 70  
 71  
 72  
 73  
 74  
 75  
 76  
 77  
 78  
 79  
 80  
 81  
 82  
 83  
 84  
 85  
 86  
 87  
 88  
 89  
 90  
 91  
 92  
 93  
 94  
 95  
 96  
 97  
 98  
 99  
 100  
 101  
 102  
 103  
 104  
 105  
 106  
 107  
 108  
 109  
 110  
 111  
 112  
 113  
 114  
 115  
 116  
 117  
 118  
 119  
 120  
 121  
 122  
 123  
 124  
 125  
 126  
 127  
 128  
 129  
 130  
 131  
 132  
 133  
 134  
 135  
 136  
 137  
 138  
 139  
 140  
 141  
 142  
 143  
 144  
 145  
 146  
 147  
 148  
 149  
 150  
 151  
 152  
 153  
 154  
 155  
 156  
 157  
 158  
 159  
 160  
 161  
 162  
 163  
 164  
 165  
 166  
 167  
 168  
 169  
 170  
 171  
 172  
 173  
 174  
 175  
 176  
 177  
 178  
 179  
 180  
 181  
 182  
 183  
 184  
 185  
 186  
 187  
 188  
 189  
 190  
 191  
 192  
 193  
 194  
 195  
 196  
 197  
 198  
 199  
 200  
 201  
 202  
 203  
 204  
 205  
 206  
 207  
 208  
 209  
 210  
 211  
 212  
 213  
 214  
 215  
 216  
 217  
 218  
 219  
 220  
 221  
 222  
 223  
 224  
 225  
 226  
 227  
 228  
 229  
 230  
 231  
 232  
 233  
 234  
 235  
 236  
 237  
 238  
 239  
 240  
 241  
 242  
 243  
 244  
 245  
 246  
 247  
 248  
 249  
 250  
 251  
 252  
 253  
 254  
 255  
 256  
 257  
 258  
 259  
 260  
 261  
 262  
 263  
 264  
 265  
 266  
 267  
 268  
 269  
 270  
 271  
 272  
 273  
 274  
 275  
 276  
 277  
 278  
 279  
 280  
 281  
 282  
 283  
 284  
 285  
 286  
 287  
 288  
 289  
 290  
 291  
 292  
 293  
 294  
 295  
 296  
 297  
 298  
 299  
 300  
 301  
 302  
 303  
 304  
 305  
 306  
 307  
 308  
 309  
 310  
 311  
 312  
 313  
 314  
 315  
 316  
 317  
 318  
 319  
 320  
 321  
 322  
 323  
 324  
 325  
 326  
 327  
 328  
 329  
 330  
 331  
 332  
 333  
 334  
 335  
 336  
 337  
 338  
 339  
 340  
 341  
 342  
 343  
 344  
 345  
 346  
 347  
 348  
 349  
 350  
 351  
 352  
 353  
 354  
 355  
 356  
 357  
 358  
 359  
 360  
 361  
 362  
 363  
 364  
 365  
 366  
 367  
 368  
 369  
 370  
 371  
 372  
 373  
 374  
 375  
 376  
 377  
 378  
 379  
 380  
 381  
 382  
 383  
 384  
 385  
 386  
 387  
 388  
 389  
 390  
 391  
 392  
 393  
 394  
 395  
 396  
 397  
 398  
 399  
 400  
 401  
 402  
 403  
 404  
 405  
 406  
 407  
 408  
 409  
 410  
 411  
 412  
 413  
 414  
 415  
 416  
 417  
 418  
 419  
 420  
 421  
 422  
 423  
 424  
 425  
 426  
 427  
 428  
 429  
 430  
 431  
 432  
 433  
 434  
 435  
 436  
 437  
 438  
 439  
 440  
 441  
 442  
 443  
 444  
 445  
 446  
 447  
 448  
 449  
 450  
 451  
 452  
 453  
 454  
 455  
 456  
 457  
 458  
 459  
 460  
 461  
 462  
 463  
 464  
 465  
 466  
 467  
 468  
 469  
 470  
 471  
 472  
 473  
 474  
 475  
 476  
 477  
 478  
 479  
 480  
 481  
 482  
 483  
 484  
 485  
 486  
 487  
 488  
 489  
 490  
 491  
 492  
 493  
 494  
 495  
 496  
 497  
 498  
 499  
 500  
 501  
 502  
 503  
 504  
 505  
 506  
 507  
 508  
 509  
 510  
 511  
 512  
 513  
 514  
 515  
 516  
 517  
 518  
 519  
 520  
 521  
 522  
 523  
 524  
 525  
 526  
 527  
 528  
 529  
 530  
 531  
 532  
 533  
 534  
 535  
 536  
 537  
 538  
 539  
 540  
 541  
 542  
 543  
 544  
 545  
 546  
 547  
 548  
 549  
 550  
 551  
 552  
 553  
 554  
 555  
 556  
 557  
 558  
 559  
 560  
 561  
 562  
 563  
 564  
 565  
 566  
 567  
 568  
 569  
 570  
 571  
 572  
 573  
 574  
 575  
 576  
 577  
 578  
 579  
 580  
 581  
 582  
 583  
 584  
 585  
 586  
 587  
 588  
 589  
 590  
 591  
 592  
 593  
 594  
 595  
 596  
 597  
 598  
 599  
 600  
 601  
 602  
 603  
 604  
 605  
 606  
 607  
 608  
 609  
 610  
 611  
 612  
 613  
 614  
 615  
 616  
 617  
 618  
 619  
 620  
 621  
 622  
 623  
 624  
 625  
 626  
 627  
 628  
 629  
 630  
 631  
 632  
 633  
 634  
 635  
 636  
 637  
 638  
 639  
 640  
 641  
 642  
 643  
 644  
 645  
 646  
 647  
 648  
 649  
 650  
 651  
 652  
 653  
 654  
 655  
 656  
 657  
 658  
 659  
 660  
 661  
 662  
 663  
 664  
 665  
 666  
 667  
 668  
 669  
 670  
 671  
 672  
 673  
 674  
 675  
 676  
 677  
 678  
 679  
 680  
 681  
 682  
 683  
 684  
 685  
 686  
 687  
 688  
 689  
 690  
 691  
 692  
 693  
 694  
 695  
 696  
 697  
 698  
 699  
 700  
 701  
 702  
 703  
 704  
 705  
 706  
 707  
 708  
 709  
 710  
 711  
 712  
 713  
 714  
 715  
 716  
 717  
 718  
 719  
 720  
 721  
 722  
 723  
 724  
 725  
 726  
 727  
 728  
 729  
 730  
 731  
 732  
 733  
 734  
 735  
 736  
 737  
 738  
 739  
 740  
 741  
 742  
 743  
 744  
 745  
 746  
 747  
 748  
 749  
 750  
 751  
 752  
 753  
 754  
 755  
 756  
 757  
 758  
 759  
 760  
 761  
 762  
 763  
 764  
 765  
 766  
 767  
 768  
 769  
 770  
 771  
 772  
 773  
 774  
 775  
 776  
 777  
 778  
 779  
 780  
 781  
 782  
 783  
 784  
 785  
 786  
 787  
 788  
 789  
 790  
 791  
 792  
 793  
 794  
 795  
 796  
 797  
 798  
 799  
 800  
 801  
 802  
 803  
 804  
 805  
 806  
 807  
 808  
 809  
 810  
 811  
 812  
 813  
 814  
 815  
 816  
 817  
 818  
 819  
 820  
 821  
 822  
 823  
 824  
 825  
 826  
 827  
 828  
 829  
 830  
 831  
 832  
 833  
 834  
 835  
 836  
 837  
 838  
 839  
 840  
 841  
 842  
 843  
 844  
 845  
 846  
 847  
 848  
 849  
 850  
 851  
 852  
 853  
 854  
 855  
 856  
 857  
 858  
 859  
 860  
 861  
 862  
 863  
 864  
 865  
 866  
 867  
 868  
 869  
 870  
 871  
 872  
 873  
 874  
 875  
 876  
 877  
 878  
 879  
 880  
 881  
 882  
 883  
 884  
 885  
 886  
 887  
 888  
 889  
 890  
 891  
 892  
 893  
 894  
 895  
 896  
 897  
 898  
 899  
 900  
 901  
 902  
 903  
 904  
 905  
 906  
 907  
 908  
 909  
 910  
 911  
 912  
 913  
 914  
 915  
 916  
 917  
 918  
 919  
 920  
 921  
 922  
 923  
 924  
 925  
 926  
 927  
 928  
 929  
 930  
 931  
 932  
 933  
 934  
 935  
 936  
 937  
 938  
 939  
 940  
 941  
 942  
 943  
 944  
 945  
 946  
 947  
 948  
 949  
 950  
 951  
 952  
 953  
 954  
 955  
 956  
 957  
 958  
 959  
 960  
 961  
 962  
 963  
 964  
 965  
 966  
 967  
 968  
 969  
 970  
 971  
 972  
 973  
 974  
 975  
 976  
 977  
 978  
 979  
 980  
 981  
 982  
 983  
 984  
 985  
 986  
 987  
 988  
 989  
 990  
 991  
 992  
 993  
 994  
 995  
 996  
 997  
 998  
 999  
 1000

My Commission expires  
 January 6, 1989.