

1
2 UNITED STATES DISTRICT COURT
3 DISTRICT OF MASSACHUSETTS
4 CIVIL ACTION NO. 82-1672-S

5 -----
6 ANNE ANDERSON, for herself, and as parent :
7 and next friend of CHARLES ANDERSON, and :
8 as Administratrix of the Estate of JAMES :
9 ANDERSON; CHRISTINE ANDERSON; RICHARD :
10 AUFIERO, for himself, and as parent and :
11 next friend of ERIC AUFIERO, and as :
12 Administrator of the Estate of JARROD :
13 AUFIERO; LAUREN AUFIERO; DIANE AUFIERO, :
14 for herself, and as parent and next :
15 friend of JESSICA AUFIERO; ROBERT :
16 AUFIERO; KATHRYN GAMACHE, for herself, :
17 and as parent and next friend of AMY :
18 GAMACHE; TODD L. GAMACHE; ROLAND :
19 GAMACHE; PATRICIA KANE, for herself, :
20 and as parent and next friend of :
21 MARGARET KANE; KATHLEEN KANE; :
22 TIMOTHY KANE; and KEVIN KANE, Jr.; :
23 KEVIN KANE; DONNA L. ROBBINS, for herself :
24 and as parent and next friend of KEVIN :
25 ROBBINS, and as Administratrix of the :
Plaintiffs,

19 - v -
20
21 CRYOVAC, Division of W. R. GRACE & CO.;
22 W. R. GRACE & CO.; JOHN J. RILEY COMPANY,
Division of BEATRICE FOODS CO.; BEATRICE
FOODS CO.; and XYZ Company(ies),
Defendants.

24 SILVER & RENZI REPORTING SERVICE
25 824 W. State Street, Trenton, NJ 08618
 (609) 989-9191
 (TOLL FREE IN N.J.) 800-792-8880

1
2
3 Continued testimony of GEORGE F.
4 PINDER, Ph.D taken stenographically in the
5 above-entitled matter before ELIZABETH M. ELDER, a
6 Certified Shorthand Reporter and Notary Public of the
7 State of New Jersey, at the Hyatt Regency Hotel,
8 Princeton, New Jersey, on Friday, February 14, 1986
9 commencing at 10:10 a.m.
10
11
12
13
14

15 A P P E A R A N C E S:

16 MESSRS. SCHLICHTMANN, CONWAY & CROWLEY
17 171 Milk Street
18 Boston, Massachusetts 02109
19 BY: STANLEY W. ELLER, ESQ.,
20 Attorneys for the Plaintiffs.

21 MESSRS. LOWENSTEIN, SANDLER, BROCHIN,
22 KOHL, FISHER, BOYLAN & MEANOR
23 65 Livingston Avenue
24 Roseland, New Jersey 07068
25 BY: MICHAEL L. RODBURG, ESQ.,
 Attorneys for the Defendant,
 Beatrice Company, Inc.

26 MESSRS. FOLEY, HOAG & ELIOT
27 One Post Office Square
28 Boston, Massachusetts 02109
29 BY: AMY WOODWARD, ESQ.,
30 Attorneys for Defendant,
 W. R. Grace & Company.

1

2

3

4

I N D E X

5

WITNESSPAGE

6

GEORGE F. PINDER, Ph.D

7

Continued examination by Mr. Rodburg

4

8

Examination by Ms. Woodward

82

9

Continued examination by Mr. Rodburg

83

10

Further examination by Ms. Woodward

95

11

Continued examination by Mr. Rodburg

96

12

Further examination by Ms. Woodward

177

13

14

15

16

17

18

19

20

21

22

23

24

25

E X H I B I T S

13

NO.DESCRIPTIONPAGE

14

P-45

CV of Dr. Pinder

175

1 Pinder - Rodburg

2 A That's correct.

3 Q That professional judgment is carried out to
4 three decimal places of accuracy?

5 A No, that's not what it says.

6 Q You told me you used 2.300, did you mean to
7 say the second and third zeros in part of that number?

8 A Mr. Rodburg, we are talking about hydraulic
9 conductivity?

10 Q Yes.

11 A The number was 2300 not 2.300.

12 Q Then I wrote it wrong or heard it wrong or
13 you said it wrong.

14 A Or all the above.

15 Q The number that we are now talking about, so
16 the record is clear, that you first testified to - and
17 if I misstated it as 2.3, I certainly meant only to
18 repeat what you told me - for the hydraulic
19 conductivity which you used was 2300 feet per day?

20 A That is my recollection.

21 Q In your judgment, that contains two
22 significant numbers?

23 A That's correct.

24 Q That number is your best professional
25 judgment of the average permeability at the Riley

1 Pinder - Rodburg

2 site?

3 A That constituted my opinion at the time that
4 I prepared the calculations.

5 Q Is it still your opinion today?

6 A I think perhaps if I were to do another
7 calculation, that I might use a slightly reduced value
8 for hydraulic conductivity.

9 Q When you said do another calculation, that
10 means you did a first calculation to reach 2300?

11 A I'm sorry, Mr. Rodburg, I have no idea what
12 you are saying.

13 Q I thought you already clarified 2300 feet
14 per day is a professional judgment?

15 A Yeah.

16 Q Now, you told me if you did another
17 calculation, you might reach a different result?

18 A Clearly, we are not communicating. What I
19 am talking about is a transport calculation. What
20 I am not talking about is a calculation of hydraulic
21 conductivity.

22 Q I asked you whether your opinion as to the
23 hydraulic conductivity on the Riley site of 2300 feet
24 per day is still your opinion?

25 A My current opinion is that the permeability

Pinder - Rodburg

2 on the Riley site is probably somewhat less than
3 on the average over the site.

4 Q How much less?

5 A I would say based on the information
6 have available, since these calculations were m
7 that the permeability is probably between -- I
8 say between 800 and 2500.

9 Q That's your best professional judgment
10 you now see it, on all the information you have
11 today?

12 A That's my feeling at this particular
13 And I think you realize that information is com
14 almost daily on this site and it's a moving tar
15 but I am trying to be forthright with you, and
16 that's what I currently feel is the situation.

17 Q I really appreciate that, doctor, bec
18 have been struggling with the same moving targe

Now, then, the Darcy velocity which you computed relied upon the permeability which you now testified for me about and the gradient?

22 A That's correct.

23 Q What gradient did you use?

24 A .001

25 O Where did you get that number?

1 Pinder - Rodburg

2 A Are you deliberately going back over the
3 testimony or is this an accident?

4 Q We haven't asked you about gradient, have
5 we?

6 A You certainly have. Do you want to go back
7 and look? You are saying that you didn't ask about
8 gradient?

9 Q Where did you get .001?

10 A You don't remember asking me that? I said I
11 carried the number in my head.

12 Q Are you talking about yesterday's testimony?

13 A Mr. Rodburg, I will answer all of those
14 questions again, but believe me, you have asked them
15 all before.

16 The value that I used in the calculation was
17 .001.

18 Q Where did you get that?

19 A It was transmitted to me by Weston.

20 Q Who, at Weston?

21 A The same individual that I told you about
22 before whose name I also don't remember right now.

23 Q Did the unknown person at Weston give you
24 just water level elevations and distance and you
25 computed the gradient or did he give you the gradient?

1 Pinder - Rodburg

2 A First of all, he gave me the gradient, then
3 I wanted to be very careful that there were no
4 mistakes, so he quoted over the telephone to me the
5 numbers that he used and the calculations that he did.

6 Q Did you write those down?

7 A I wrote those down.

8 Q Where are they?

9 A I discarded them.

10 Q The only evidence we have is your
11 recollection today that it was .001?

12 A That's correct.

13 Q Over what distance?

14 A That was over the distance indicated by this
15 plot.

16 Q What is the feet indicated in the plot?

17 A That would be between the Riley site and
18 well G, which constitutes approximately 525 feet, and,
19 of course, the estimate that we are talking about is
20 the most conservative estimate, since as you are
21 getting near wells G and H, those gradients increase
22 hydraulically.

23 Q Is it your testimony that the average
24 gradient between well G and some point on the Riley
25 property 525 feet from well G is .001?

1 Pinder - Rodburg

2 gradient or what?

3 Q A flat gradient.

4 A A flat gradient by its definition is zero.

5 Q And relatively flat?

6 A In these materials, I would say that perhaps
7 .00001 would be considered quite a low gradient.

8 Q Four zeros?

9 A Yes.

10 Q Have you done any calculations of the
11 gradient of the Riley property when wells G and H are
12 not pumping?

13 A I didn't do a calculation in the sense that
14 we have described here, however, the exhibits that I
15 have provided you yesterday have such gradients
16 calculated on them.

17 Q All the exhibits that you provided yesterday
18 were marked?

19 A As I said before, I did not pay attention to
20 what was marked and what wasn't marked.

21 Q The next variable in Exhibit 17, there is a
22 capital letter designation D immediately beneath V sub
23 D.

24 Since you have my only copy, read for the
25 record what it says there?

1 Pinder - Rodburg

2 A It says 115.

3 Q No units?

4 A No units. Are you asking me if there are
5 units or whether they are designated?

6 Q We know they aren't designate.

7 A That's what I am answering.

8 Q My next question is, are there units?

9 A Yes.

10 Q What are those units?

11 A That would be in terms of feet and days.

12 Q Feet per day?

13 A Feet square per day.

14 Q What does D represent?

15 A D is the dispersion coefficient that goes
16 into the transport equation.

17 Q I can't help but note that the dispersion
18 coefficient is exactly twice what it is in Exhibit 16,
19 is that coincidence?

20 A Completely.

21 Q Where did you get the dispersion coefficient
22 of 115 square feet per day?

23 A The dispersion coefficient is computed as
24 the product of the dispersivity and the magnitude of
25 the velocity. I think I am correct in saying I think

1 Pinder - Rodburg

2 I used the same dispersivity, which is the field
3 measured parameter, in both of those calculations.

4 Q Let's start with dispersivity, why is it
5 field measured?

6 A It wasn't field measured.

7 Q I misheard you. Let's start with, what is
8 dispersivity?

9 A Dispersivity is a physical coefficient
10 descriptive of a particular hydraulic environment.

11 Q Where did you get the dispersivity number
12 which you used in calculating D?

13 A That was based on my observation of the
14 material that occurs on the Riley site, the well logs
15 that I had available to me, my geologic interpretation
16 of my equation and by a professional judgment.

17 Q What number did you reach for dispersivity
18 based on your professional judgment and all the other
19 things you just said?

20 A It's my recollection that it was 50.

21 Q 5-0?

22 A That's my recollection.

23 Q Does that have units attached to it?

24 A Oh, yes, it has units of feet.

25 Q Why did you choose 50, what factors leaped

1 Pinder - Rodburg

2 out at you to choose 50 as opposed to 1,000 or 1?

3 A I thought it was a very conservative value
4 for the materials that I had observed, and I wanted to
5 make as conservative a calculation as I thought was
6 appropriate.

7 Q What do you mean by conservative in your
8 answer?

9 A Well, what I mean is that the field value
10 available would almost certainly be larger.

11 Q Do you have any field values available?

12 A Not for this site.

13 Q Do you know if anybody has any field values
14 available?

15 A I have no knowledge.

16 Q How would one go about getting field values?

17 A You would have to conduct a test that was
18 designed to determine dispersivity.

19 Q How are those tests conducted?

20 A The normal way that one goes about this is
21 to do a two well tracer test.

22 Q With a dye?

23 A With a dye.

24 Q That was not done in this case?

25 A I don't know. Do you think we could break

1 Pinder - Rodburg

2 THE WITNESS: That sounds familiar.

3 Q Next on Exhibit 17, there is a designation
4 for capital R?

5 A Yes.

6 Q No units?

7 A Yes.

8 Q What is R?

9 A R is the retardation coefficient.

10 Q The number as it appears on Exhibit 17 is
11 what?

12 A .302.

13 Q Does it have units?

14 A I don't recall it having units. I would
15 have to work it out or see a definition of it
16 explicitly in order to tell you for sure. I do not
17 believe it has units.

18 Q How did you derive the value of .302 as used
19 in Exhibit 17?

20 A That's based on the carbon content of the
21 soil and the KD parameter, which is a chemically
22 related parameter that is associated with the ability
23 of a chemical compound to adsorb to a porous media, I
24 guess it should be medium. Media is the plural.

25 Q Other than the exhibit which I now tell you

1 Pinder - Rodburg

2 I don't have with me, and I regret, because I thought
3 I did, but there was an exhibit you recall previously
4 marked as to the carbon content of the soil that you
5 had?

6 A I don't know if it was marked, Mr. Rodburg,
7 but I do remember, I believe it was a two-page
8 document where this information was tabulated.

9 Q Other than that document, do you have any
10 other data on carbon content?

11 A I have no data specifically on the site that
12 I am aware of.

13 Q The KD parameter, where did you get that?

14 A That is tabulated in the literature.

15 Q The literature that you referred to was also
16 provided to us?

17 A The literature that I referred to is,
18 basically, documents that have either been provided to
19 you or are reports of U.S. Government agencies.

20 Q Specifically, with respect to Exhibit 17,
21 did you consult a report of a U.S. Government agency?

22 A Such a report was consulted.

23 Q Do you remember the name of it?

24 A No, I don't remember the name of it.

25 Q Do you know who published it?

1 Pinder - Rodburg

2 A The EPA published it.

3 Q Do you remember when they published it?

4 A I don't know when they published it.

5 Q Do you know what the subject matter of the
6 report was?

7 A It, basically, contained information on the
8 chemical properties of specifically the retardation
9 properties of a vast number of compounds.

10 Q For what purpose did you consult this EPA
11 publication?

12 A To obtain information on the properties of
13 the compound 1, 2, trans.

14 Q I took this away from you, not to be
15 impolite, I'm sorry.

16 The second page of Exhibit 17 contains a
17 retardation coefficient for trichloroethylene?

18 A That's correct.

19 Q What is it for that?

20 A .88.

21 Q In Exhibit 16, the retardation coefficient
22 for TCE as used there was also .88?

23 A Yes.

24 Q Do you have any knowledge as to whether
25 there are any differences in soils between what is at

1 Finder - Rodburg

2 the Grace property and what is at the Riley property?

3 A I think that they are similar, but somewhat
4 different.

5 Q You haven't discerned a difference insofar
6 as your choice in the retardation coefficient?

7 A No.

8 Q You did see a difference in terms of the
9 Darcy velocity, though?

10 A Two different values were used, yes.

11 Q Two different values were used for
12 dispersivity?

13 A No. I think the same value was used for
14 dispersivity.

15 Q You are right, my question was
16 dispersivity. Two different values were used for the
17 dispersion coefficient?

18 A Yes.

19 Q Was it the same dispersivity value for each?

20 A That's my recollection.

21 Q The difference in the two numbers shown was
22 accounted for solely by the differences between the
23 magnitude of the velocities?

24 A I believe so.

25 Q What is the retardation coefficient used for

1 Pinder - Rodburg

2 in this calculation, what physical phenomenon are you
3 trying to account for?

4 A It accounts for the tendency of molecules in
5 solution to become attached to granular media,
6 particularly those media that contain carbon.

7 Q The higher the carbon content, the higher
8 the retardation coefficient?

9 A That's the assumption that's made.

10 Q The next number on Exhibit 17 is a small
11 letter n equals .20?

12 A That's correct.

13 Q n stands for porosity?

14 A That's correct.

15 Q Is that a field measured number?

16 A That is not a field measured number.

17 Q How did you --

18 A -- in this case.

19 Q How did you get .20?

20 A I observed the documents of the Grace
21 consultant, wherein he estimated a value of .15,
22 because that seemed relatively low for the materials
23 that I was observing, and because the velocity
24 increases as the porosity decreases, I felt that it
25 would be more conservative and prudent to use a value

1 Pinder - Rodburg

2 slightly larger than the one that he quoted in his
3 report.

4 Q You used the .20 value as well for the Riley
5 calculation in Exhibit 17?

6 A That's correct.

7 Q Why did you use it for the Riley
8 calculation?

9 A Well, based on my experience with materials
10 such as I encountered on the Riley site, it seemed
11 like an appropriate choice.

12 Q What geologic materials did you encounter at
13 the Riley site?

14 A Well, there are a number of materials that,
15 basically, could be classified in the terminology that
16 was used yesterday as glacially fluvial material.
17 It's normally sand, gravels.

18 Q What is the characteristic range of porosity
19 for glacially fluvial material?

20 A It's relatively narrow. It probably would
21 not exceed .25 and would not be less than .15.

22 Q Is there published literature with respect
23 to such glacially fluvial materials?

24 A I am sure there are such literature. I
25 can't recall any specific reference at this point.

1 Pinder - Rodburg

2 Q Did you consult any literature in
3 determining .20?

4 A Probably.

5 Q Do you know what literature you consulted?

6 A No.

7 Q Is glacially fluvial material different than
8 glacial till?

9 A Yes.

10 Q Would you expect to find higher values for
11 glacial till?

12 A No.

13 Q Lower values?

14 A Yes.

15 Q Does glacial till have a larger range?

16 A Well, that depends on the till. The till is
17 a very generic term and incorporates a tremendous
18 number of distinctly different materials.

19 Q With respect to the value for porosity, is
20 it fair to say that the values for glacially fluvial
21 material is generally higher than for glacial till?

22 A It's difficult to answer your question, and
23 that's why I am hesitating. It's probably true that
24 on the average, glacial till would have a smaller
25 porosity than glacially fluvial material.

1 Pinder - Rodburg

2 Q Did you make an independent judgment of the
3 materials at the Riley site or did you rely on the
4 assessment of others?

5 A I'm sorry, I don't understand your question.

6 Q In characterizing the material as glacially
7 fluvial, is it your independent assessment or the
8 assessment of someone else?

9 A Oh, that's my own assessment.

10 Q For certified geologists, it's customary to
11 make such classifications based on visual
12 observations?

13 A Yes.

14 Q Have you seen the classifications of others
15 in this case?

16 A I don't recall any particular one.

17 Q You don't recall whether anyone else has a
18 different view as to what the materials are?

19 A No. I can only believe that if they are
20 capable geologists, they would hold similar opinions.

21 Q Only incapable geologists hold dissimilar
22 opinions?

23 A Perhaps less capable would be appropriate.

24 Q What about sand and gravel, what is the
25 porosity range for sand and gravel?

1 Pinder - Rodburg

2 A It's, basically, what I said. It's
3 somewhere between typically .15 and .25.

4 Q How about for sand?

5 A The range is about the same. The way it
6 works, Mr. Rodburg, is that irrespective of the size
7 of the material, given the same environments, you will
8 have the same porosity.

9 Q It doesn't ever go higher than .25?

10 A Theoretically, it can go higher if you
11 carefully assemble spheres, but in natural occurring
12 material, it is more probable than not, you will be
13 within that range.

14 Q You would regard three to five as an
15 improbable range in this case?

16 A I think that would be excessively high.

17 Q What about three?

18 A I think that would be excessively high also.

19 Q You would not regard three as a reasonable
20 porosity value?

21 A I think it's more probable than not that it
22 would be less than that.

23 Q Is porosity capable of being field measured?

24 A That is difficult, not impossible, but
25 difficult.

1 Pinder - Rodburg

2 Q Could it be laboratory measured?

3 A Normally, values that are used are
4 determined in the laboratory.

5 Q Do you use any laboratory measured values in
6 concluding that .2 was the porosity?

7 A I don't remember.

8 Q The next value number on Exhibit 17 is the
9 L. There is some confusion about the last testimony.
10 The number shown on Exhibit 17 is 525 feet?

11 A Yes.

12 Q Can you tell me what that represents?

13 A That was the approximate distance from the
14 Riley site to well G.

15 Q Earlier today, you testified as to a
16 specific line of wells commencing on the west side
17 with S80. Somewhere in that line of S80 to G was
18 where you thought you used 525 feet?

19 A I think that's reasonable to assume.

20 Q Is that the location of the Riley site to
21 which you refer on Exhibit 17, 525 feet?

22 A I think to determine that, we would really
23 have to have a map with a scale on it and a ruler. I
24 don't recall explicitly what my reference point was.

25 Q How would a map of the scale help you to