

UNITED STATES DISTRICT COURT

DISTRICT OF MASSACHUSETTS

Civil Action
No. 82-1672-S

SKINNER, D. J.
and a Jury

ANNE ANDERSON, ET AL

V.

W. R. GRACE & CO., ET AL

Forty-Eighth Day of Trial

APPEARANCES:

Schlichtmann, Conway & Crowley (by Jan Richard Schlichtmann, Esq., Kevin P. Conway, Esq., and William J. Crowley, III, Esq.) on behalf of the Plaintiffs.

Charles R. Nesson, Esquire, on behalf of the Plaintiffs.

Herlihy & O'Brien (by Thomas M. Kiley, Esq.) on behalf of the Plaintiffs.

Hale & Dorr (by Jerome P. Facher, Esq., Neil Jacobs, Esq., Donald R. Frederico, Esq., and Deborah P. Fawcett, Esq.) on behalf of Beatrice Foods.

Foley, Hoag & Eliot (by Michael B. Keating, Esq., Sandra Lynch, Esq., William Cheeseman, Esq., and Marc K. Temin, Esq.) on behalf of W. R. Grace & Co.

Courtroom No. 6
Federal Building
Boston, MA 02109
9:00 a.m., Wednesday
May 21, 1986

Marie L. Cloonan
Court Reporter
1690 U.S.P.O. & Courthouse
Boston, MA 02109

(Jury present in the courtroom.)

1
2 THE COURT: Good morning, ladies and gentlemen.

3 What we are going to try and do is finish
4 up with Dr. Pinder this week, meaning by tomorrow.

5 It's going to be a problem for him to get
6 here next week, and it would be a good deal of difficulty
7 if we don't do that. Mr. Keating's cross-examination is
8 likely to end sometime this morning, and then there will
9 be redirect --

10 Oh, incidentally, at the end of Mr. Keating's
11 examination I'm going to permit you to ask what questions
12 you want so as the morning goes along if you -- you might
13 be thinking of how you want to phrase a question, if you do.
14 Don't feel obliged. If you have something that's been in
15 your mind, this is the time. Please don't ask a question
16 that's going to take the Professor back to square one and
17 all the way through the entire routine.

18 Then Mr. Schlichtmann will want redirect
19 examination, and the idea would be to leave tomorrow for
20 recross.

21 Now, in order to do that, we may have to
22 work this afternoon. Will that present any special diffi-
23 culty to anybody if we just pick it up again at 2:00 o'clock
24 and work, say, no later than 4:00?

25 MR. FOX: I'm involved in something else in

1 the afternoon, and it's not --

2 THE COURT: Could you make a telephone call
3 and get yourself cleared at the break? Could you do that?

4 MR. FOX: Yes.

5 THE COURT: Thank you. I think it will make
6 quite a difference in how this day proceeds. Then we can
7 pick it up next week. We have Wednesday and Thursday of
8 next week. I guess we pick up with Drobinski again.

9 All right. Well, let's press along this
10 morning and see how well we do. We may get done, but I
11 wouldn't put any bet on it.

12 Okay.

13 It's reported there's a breeze starting
14 outside and maybe it will make its way in here.

15 MR. KEATING: I wonder if I might begin this
16 morning by reading to the jury the Exhibit 560. I think
17 it's easier than having it passed around, and it's only a
18 page and a half.

19 THE COURT: I thought you read it yesterday.

20 MR. KEATING: I only read a portion of it
21 yesterday.

22 THE COURT: All right.

23 MR. KEATING: Ladies and gentlemen, this is
24 Exhibit G-560, which is entitled "Exhibit 1-12," and it's
25 further entitled "Agreement," and I will read.

1 Agreement and for other good and valuable consideration the
2 receipt and sufficiency thereof being conclusively acknowledged,
3 the parties hereto agree as follows:

4 "1. Professor George Pinder promises not
5 to consult, testify or otherwise provide services to any
6 person who is seeking to bring or who has brought any action
7 or claim against UniFirst based on the transactions or
8 occurrences that are at issue in the lawsuit.

9 "2. UniFirst is hereby expressly granted a
10 right of action for a specific performance of this agree-
11 ment.

12 "Dated this September 21, 19 --" Excuse me.
13 "-- September 21 day of September, 1985.

14 "Signed: George Pinder and Sally Collier,"

15 And witnessed by Mr. -- hard to read the
16 writing -- Mr. Ronald Furth and Mr. John Bartlett.

17
18 GEORGE S. PINDER, RESUMED

19 CROSS-EXAMINATION BY MR. KEATING, CONTINUED
20
21
22
23
24
25

b-1 vw-pf 1 Q Dr. Pinder, the first page of this agreement refers to
2 monies to be paid to expert witnesses. Did you receive any
3 monies from the UniFirst Corporation?

4 A No.

5 Q What consideration did you acknowledge the receipt of
6 on Page 2 when it says "for other goods and valuable
7 considerations, the receipt thereof being conclusively
8 acknowledged"? What consideration or what did you receive
9 of value from UniFirst to enter into this agreement?

10 A Absolutely nothing that I am aware of.

11 Q Did you receive monies from any other party in
12 connection with this lawsuit at or about the time that
13 you signed this agreement with UniFirst?

14 A All of the monies I received in this lawsuit come from
15 Mr. Schlichtmann's office.

16 Q Could you recall whether or not you have received,
17 you did receive any monies in connection from
18 Mr. Schlichtmann's office at or about the time that this
19 particular agreement was signed with UniFirst?

20 A You have my financial records. I have no correlation
21 between that activity and -- that I am aware of.

22 Q Your financial records do not disclose the date when
23 you received money; they only disclose bills that you
24 submitted. Do you know whether or not you received any
25 money from Mr. Schlichtmann on or about the time that you

1 signed the agreement with UniFirst?

2 A I have that information if you want me to --

3 MR. SCHLICHTMANN: That information was
4 provided, your Honor.

5 MR. KEATING: I will check. It was just
6 given to us a few moments ago.

7 Q Were you paid for the services that you rendered in the
8 action against UniFirst?

9 A Sir, I have never even met with anyone from UniFirst.
10 I have had no contact with them that I am aware of.

11 Q Do you know what consideration therefore UniFirst was
12 receiving in this agreement that you signed with them?

13 A It is my understanding that what they were seeking was
14 an agreement that I would not at some point after this
15 trial be involved with someone who was attempting to sue
16 them regarding the same basic problem.

17 Q Your agreement states that you agreed not to consult,
18 testify or provide services to anyone who might have a
19 claim against UniFirst; is that true?

20 A Those are the words that were in there. That was my
21 interpretation of them.

22 Q And the transactions or occurrences which are
23 referred to in Exhibit 560 are the transactions and
24 occurrences which are set forth in the complaint that was
25 filed against UniFirst which are the same transactions

1 and occurrences which were set forth against the defendants
2 in this case?

3 A Quite honestly, I don't understand what transactions
4 and occurrences mean in the legal context. I took it to mean
5 that I would not be involved in work for someone who was
6 attempting to sue UniFirst. And that seemed perfectly all
7 right with me.

8 Q The transactions and occurrences which are set forth
9 in the case against UniFirst involved surface, alleged
10 surface and groundwater contamination moving from the
11 UniFirst location to the locations of Wells G and H. Were
12 you aware of that?

13 A Was I aware of--

14 Q Of the substance of the complaint in this state court
15 action against UniFirst?

16 A I thought it was fundamentally the same as here.

17 Q Involving solvents and groundwater contamination
18 alleged to have moved towards Wells G and H?

19 A To my knowledge, I never saw the complaint. I have
20 no -- I can only indicate what I have learned from third
21 parties. It seems like it is something of what we are
22 doing here.

23 Q Well, I have in my hand, Dr. Pinder, a copy of the
24 complaint in the state court action, which states that it
25 is based upon alleged surface and groundwater contamination,

B4
1 solvent spills and other related matters from UniFirst
2 Corporation, and that those solvents are alleged to have
3 moved on the surface and groundwater and by gravity
4 toward the wells, moving to the aquifer in a plume of
5 tetrachloroethylene. Were you aware of that?

6 A I don't think I have seen that complaint, sir.

7 Q You signed that agreement on September 21, 1985. On
8 December 10, 1985 you were deposed for the first time in
9 this case and you were asked whether you had learned
10 anything about contamination at the UniFirst property. You
11 said at that time, "I have seen no documentation to that
12 effect."

13 And then you go on to say, "I can't remember
14 seeing anything in print. It is my interpretation of
15 information that has come to me from various sources that
16 they use some chemical, organic chemicals at that company;
17 and that at some point in the late '70s there was a spill
18 of some kind. I am not particularly interested in that
19 aspect of the case so I don't remember the details."

20 Do you remember saying that?

21 A No. It sounds like it is not an unreasonable statement.

22 Q In February of this year, after you had completed
23 your work in this area, you were asked again this question:
24 "Have you ever attempted to determine what concentration
25 of chemicals UniFirst or Interstate Uniform made to Wells G

1 and H?"

2 ANSWER: "I have done some very cursory
3 examination of that possibility. And based upon a spill
4 in the late '70s, it did not seem probable that they would
5 have contributed to the well by 1979."

6 QUESTION: "And you have not done anymore
7 work on that question?"

8 ANSWER: "Nothing more exhaustive than
9 looking at the problem long enough to convince myself that
10 it was not of great significance to me."

11 Do you remember saying that?

12 A I can remember that line of questioning. I have no
13 reason to believe you are not reading it correctly.

14 Q And last week when you were asked about your opinion
15 in this case and you were asked about the UniFirst site,
16 you said at Page 66, and I am referring to possible
17 contaminations, you said also, "Contamination arising from
18 the Interstate site -- " which I take it we mean the
19 UniFirst site?

20 A Yes.

21 Q "-- is possible, not moving very far but existing at
22 that point in time, not likely contributing to
23 contamination of G and H."

24 Do you remember saying that?

25 A Again, I remember the general line of questioning. I

1 don't remember the details.

2 Q That is still your opinion?

3 A I think everything you said is consistent.

4 Q And yet, UniFirst, Dr. Pinder, on Page 2 of the
5 agreement, Exhibit 560, specifically reserves the right to
6 sue you if you assist anyone in trying to bring a claim
7 against them in connection with the East Woburn aquifer?

8 A That is news to me. It is not good news, it is news.

9 Q That is what it states? You read this before you
10 signed it?

11 A I am not a lawyer. I don't know the ramifications of
12 the legalese you are reading.

13 Q UniFirst is expressly granted a right of action for
14 specific performance of this agreement, which is legalese
15 for saying they have a right to sue you if you don't live
16 up to the agreement?

17 A I think I need a law degree.

18 Q As I understand it, if the EPA or the Woburn Board of
19 Health or some other government body was conducting an
20 investigation of the East Woburn aquifer, which might
21 involve an inquiry into the activities of UniFirst
22 Corporation, and they wished your help or cooperation, they
23 would not be able to have that cooperation as by virtue of
24 the agreement that you signed with UniFirst?

25 MR. SCHLICHTMANN: Objection, your Honor.

1 This is Dr. Pinder's interpretation?

2 MR. KEATING: I will ask for his
3 interpretation.

4 MR. SCHLICHTMANN: Is he asking --

5 THE COURT: We will find out without any
6 coaching.

7 MR. SCHLICHTMANN: All right.

8 THE COURT: Make your objection. If you
9 have more to say, say it over here (indicating).

10 MR. SCHLICHTMANN: Yes, your Honor.

11 A The question is?

12 Q If the EPA or the Woburn Board of Health or some other
13 government agency was conducting an inquiry or an
14 investigation into the East Woburn aquifer and that involved
15 activities of the UniFirst Corporation, and they sought
16 your assistance as a hydrogeologist, you would not be able
17 to render to them any assistance as the result of your
18 signing Exhibit G-560; is that true?

19 A It is my understanding of the document that it would
20 not preclude me from testifying regarding UniFirst, but
21 that I could not be employed gainfully in any activities
22 that would be on behalf of parties against UniFirst.

23 Q Well, that is your interpretation?

24 A That is mine.

25 Q Provide services to any person seeking, consult,

1 testify or provide services to any person seeking to bring
2 a claim against UniFirst?

3 A That is my understanding. I did not think that it
4 precluded me from participating in my capacity as a witness
5 if I was somehow formally engaged by someone else, such as
6 here today.

7 Q Have you ever signed an agreement, Dr. Pinder, like
8 this agreement before?

9 A I don't think I have seen an agreement like this before.

10 Q And you have not signed an agreement like this before?

11 A I don't think so.

12 Q Now, when you signed this agreement--

13 A Maybe I have in terms of private clients who often have
14 a concern over what activities I might be involved in while
15 gainfully employed for them. I certainly signed documents
16 not totally unlike this. I don't think I ever have seen one
17 quite like this.

18 Q Not on behalf of a client of yours for some
19 confidentiality agreement, you have not signed an agreement
20 of this nature before?

21 A I don't know what the -- what do you mean by "like
22 this"? I think probably the fact is it is involved in some
23 kind of settlement, which makes it unique. The constraints
24 are not particularly unusual.

25 Q When you signed the agreement, Dr. Pinder, were you

1 told that there was information about UniFirst that
2 UniFirst did not want revealed?

3 A I don't think so.

4 Q Were you told UniFirst was so concerned about the
5 situation at the site that they were willing to pay people
6 not to assist or testify against them?

7 A No. I have no knowledge of any of these things.

8 Q But your examination of the UniFirst site was, using
9 your own terminology, a very cursory examination; is that
10 true?

11 A I think in the --

12 Q Your language is very cursory examination?

13 A We are talking about the deposition or --

14 Q I am talking about the deposition of February 14, 1986
15 when you were asked about an investigation of the UniFirst
16 site and you said, "I have given a very cursory
17 examination."

18 A If we mean by that that I looked at information in
19 a nondetailed fashion and did not do detailed
20 calculations at that time, I would say it was cursory.

21 Q So you don't know, Dr. Pinder, what information exists
22 beyond that very cursory examination which may establish
23 UniFirst as a possible contaminator of Wells G and H?

24 A The information available is the information that is
25 in the public domain. I imagine the UniFirst reports are

1 also in the public domain. I would have UniFirst reports.
2 the general hydrology and chemistry of the aquifer, I
3 think that--

4 Q Is about it?

5 A --that constitutes what I know about the site.

6 Q And you have been paid by UniFirst, or you signed that
7 agreement, so that you would not make any further
8 investigation; is that true?

9 A The first part of your question was whether I was paid
10 by UniFirst. I told you before I never met anyone from
11 UniFirst to my knowledge.

12 And the second part of your question was?

13
14
15
16
17
18
19
20
21
22
23
24
25
end B

c/l 1/m

1 Q So that you would not -- or you signed the agreement
2 so UniFirst could be assured you would not do any further
3 investigation of their site?

4 A I don't know the details of their motivation. I
5 expressed to you what I thought was the situation, that they
6 basically didn't want me to work for someone who was going to
7 be a plaintiff against them. I guess that normally would
8 not -- would preclude me from -- normally would preclude me
9 from doing additional field investigations on their site
10 unless by some most extraordinary event that I was working
11 for them.

12 Q And you are, however, being compensated by the plaintiffs
13 to testify for them in this proceeding, isn't that true,
14 or by Mr. Schlichtmann's office?

15 A I'm being compensated at my normal consulting rates
16 for the time I spend on the case.

17 Q That comes on behalf of the plaintiffs by Mr. Schlichtmann,
18 or presumably by Mr. Schlichtmann, isn't that true?

19 A That would be my understanding.

20 Q And you also, I take it, signed in Exhibit 560 an
21 agreement that acknowledged -- the agreement not to do any
22 work on behalf or against the interest against UniFirst?

23 A I think that's what I've been saying.

24 Q Now, let me move to another topic which is related to
25 UniFirst but not the same subject I've asked you about this

1 morning and that has to do with the concentration of
2 UniFirst -- concentration of tetrachloroethylene or perc
3 which is noted on your long chart -- strike that -- which
4 is noted on the chart of concentrations, I think, on your
5 stick figures at Well G, W3DB, and that's a concentration
6 that is noted there as over 73 hundred parts per billion.

7 Do you know that figure I'm referring to?

8 A I know it well.

9 Q You know the location of the well?

10 A I don't know the figure. And I know the location, but
11 that number doesn't fit very comfortably with me.

12 Q The 73 hundred is the UniFirst figure for the concentra-
13 tion of tetrachlorethylene at that particular site?

14 A At 3DB, sir?

15 MR. SCHLICHTMANN: I believe he means GW3DB,
16 the Grace well.

17 MR. KEATING: I'm sorry.

18 Q I beg your pardon, Doctor. What's the maximum concen-
19 tration that you found at the UniFirst site, 73 hundred?

20 A That's my understanding in prior discussions yesterday,
21 and I recall that we were talking about something in the
22 order of 7,000 at UniFirst.

23 Q Now, the maximum concentration of tetrachloroethylene
24 that was found at the Grace site, I think you testified
25 yesterday, was about a thousand PPBs?

1 A That's right. I recall that, sir.

2 Q And that was at Well GW3DB that I referred to a moment
3 ago?

4 A Yes, sir.

5 Q And, in fact, that reading, Dr. Pinder, was 11 hundred
6 PPBs?

7 A I was rounding it out in both cases.

8 Q Do you know how many readings were made of Well 3DB?

9 A Not without referring to the records.

10 Q You have chosen, or Mr. Drobinski, whoever picked that
11 number, has chosen the maximum reading that was made at that
12 particular well consistent with --

13 A That's correct. That number should represent the maximum.

14 Q And there were other readings of that well; are you
15 aware of that?

16 A I would have to look at the records, sir. I don't know
17 how many were recorded for that particular well.

18 Q Let me show you the readings for Well GW3DB. Let me
19 show you the analysis, organics analysis data sheet which
20 lists the tetrachloroethylene at 11 hundred. And this is
21 the other sheet which ties this in with the Well 3DB.

22 You take a look at those, if you would.

23 I think those came out of the boxes behind
24 you, Dr. Pinder.

25 A Yes. I agree with you. I think they did. I was just

1 looking to see how much information was on these documents.

2 Can I just take a moment to look at what
3 we have?

4 Q Yes. Take a moment. As long as you need.

5 (Pause.)

6 A Okay. I think I have some idea what's here. You want
7 these back?

8 Q Yes.

9 Now, the 11 hundred tetrachloroethylene
10 reading is set forth on this particular chart, eleven hundred,
11 where it's highlighted in yellow?

12 A This is the reading, yes. Whether it's the only reading,
13 I'm not sure.

14 Q Well, I want to show you some other readings. If you
15 could come over to the jury area.

16 Are you aware, Dr. Pinder, that there were
17 eight and only eight other readings of Well 3DB made?

18 A No. I think I indicated I didn't know the number of
19 readings that we had of that well.

20 Q Now, I want to show you first the readings of Well 3DB
21 for tetrachloroethylene that were made by ETC, which is
22 one of the labs, the Grace lab, that a lot of the data in
23 those boxes is from, and ask you to read for the jury the
24 readings that they received on Well 3DB, if you can see the
25 one I'm referring to, and the date of these readings.

e/l l/m

1 Q Well, I appreciate it's a matter of judgment, but to the
2 extent that you are seeking to draw inferences, to the extent
3 that you are seeking to make comparisons, to the extent that
4 you are seeking to put before the jury in this case informa-
5 tion that will be helpful to the jury in coming to a decision,
6 wouldn't it be fairer to use a figure for the Grace Well 3DB
7 more like 47 to 50, since that's what seven of the measure-
8 ments were, rather than the 11 hundred which was the only
9 other measurement?

10 A I think they all have to be considered, sir.

11 Q All right.

12 New topic. When you testified on direct
13 examination about travel time for the particular chemicals
14 from the Grace site, and you gave figures, you did not tell
15 the jury how you actually calculated the specific travel
16 times. In other words, you did not set forth for them the
17 mathematical calculation that you made and --

18 A Well, I don't think it's one particular calculation that
19 leads me to those numbers, but numerous calculations.

20 Q What I'd like to do now is explore with you that calcula-
21 tion.

22 A Fine.

23 Q Now, your opinion of travel time, as you testified
24 several times at your deposition, is based on what is known
25 as a one dimensional model?

1 A That constitutes part of it, sir.

2 Q All right.

3 And it is one dimensional in the sense that
4 it is a line between two points, Point A and Point B, or
5 whatever? Is that why they use the expression "one dimensional"?

6 A It purports to represent behavior along one line
7 connecting two points.

8 Q All right.

9 Now, in determining groundwater velocity,
10 you first calculate the distance between two points -- and
11 let me just suggest to you A and B. Can you see this, Doctor?
12 Maybe I can turn it so you can see it. I think you'll know
13 what I'm talking about anyway.

14 You calculated a distance between A and B,
15 and then you calculate what is known as the hydraulic gradient,
16 which is the difference between the water table elevation
17 at two particular points in the direction of which the
18 water, groundwater, is flowing.

19 I recognize this is simple--

20 A That's one way of doing it.

21 Q All right.

22 Now, when you calculate -- let's call this
23 Point X and this Point Y -- when you calculate the hydraulic
24 gradient, that is a ratio between the perpendicular drop in
25 the water table, the difference between X and Y, and the

1 lateral movement of the water which is between A and B; is
2 that a fair statement?

3 A That's the slope of that line.

4 Q That's the slope. The hydraulic gradient is actually
5 the slope.

6 Okay. Then you also determine, do you not,
7 what is known as hydraulic conductivity, and the hydraulic
8 conductivity is a statement that the hydrogeologist is making
9 as to the permeability of the material through which the
10 water is moving?

11 A Yes.

12 Q So in order to calculate the groundwater velocity, you
13 use an equation which I have recently learned is called
14 D'Arcy's Law?

15 A Yes.

16 Q And D'Arcy's Law is where you multiply the hydraulic
17 gradient times the hydraulic conductivity value that you
18 determine; so it's called the sub V, which is D'Arcy velocity
19 equals K, which is what, which of those two?

20 A Hydraulic conductivity.

21 Q One, or I, which is hydraulic gradient?

22 A Yes. Some people will use I. You have to be careful
23 with the sign, but that's not a problem here. That's not the
24 velocity of the fluid, you realize.

25 Q Yes, that's what's known as the D'Arcy velocity.

1 Now, in the case of W. R. Grace and the wells,
2 you used a D'Arcy -- you used a hydraulic gradient of .018,
3 is that correct?

4 A Yes. That's one of the calculations I made using that
5 number, sir.

6 Q And you selected -- I'll show you this. I don't think
7 you have to get up for this because I think it's pretty
8 simple stuff. You selected a distance between the Grace
9 site and the well, or Well G as 2840 feet. Does that ring
10 a bell?

11 A That's close. That's one of the ones I made, one of the
12 calculations I made.

13 Q So your hydraulic gradient was .018 which represented
14 the drop between the water table elevation at the Grace site
15 and the drop at the -- and the water table elevation at the
16 well?

17 A I calculated this in different ways, but the way you
18 did it will give us approximately the same.

19 Q Okay. So you did not measure the water table levels
20 at these two points to come up with the .018?

21 A I did it a couple different ways. One was taking two
22 points and dividing by the difference, and the other is to
23 take all those vectors we looked at and average the gradient
24 to each of these vectors to give me another check on the
25 numbers.

1 Q Now, you have a hydraulic gradient of .018, and I'll
2 move this over for the jury, but I wanted you to see it
3 first, at a distance of approximately 2840 feet, and you
4 selected as your hydraulic conductivity figure the figure 75
5 feet per day, correct?

6 A That was one of the numbers I used, sir.

7 Q And that was the only hydraulic conductivity figure
8 that you used, Dr. Pinder, for the entire distance between
9 the Grace site and the well field, isn't that true? That
10 was the hydraulic conductivity figure that you used in your
11 one dimensional model?

12 A That was considered to be an average value for that
13 distance.

14 Q All right. Now, --

15 A You realize, of course, this is not a straight line, but
16 turning.

17 Q Well, the gradient, however, is a gradient that deter-
18 mines from the Grace site to the well field a straight line
19 which is measured on .018 which is the difference between the
20 elevation and the lateral movement?

21 A In this particular calculation I may have been looking
22 at flow lines that were close to straight. I have done other
23 calculations where the flow lines are more westerly and
24 southerly but the answers come out to be basically the same.

25 Q Let me be clear about this. The one dimensional model

1 upon which you base this opinion is based on, at least in
2 part, because there are other calculations you have to make,
3 I know, with respect to this, but it's based on this particular
4 figure that I put up on the board in front of the jury?

5 A You're speaking now to my current opinion on travel time,
6 sir?

7 Q Well, I'm speaking upon what you based your opinion
8 which is, I understood from the deposition, was based on
9 this so-called one dimensional model which I have tried to
10 describe.

11 A I understand. That information that you're looking at
12 there constitutes the information that I had available or
13 was using along with other information, of course, at the
14 time of that deposition.

15 Q Well, in fact, that's the information that you said,
16 and I won't belabor it, Dr. Pinder, but you said several times
17 in your deposition that you were basing your opinion on the
18 one dimensional model. You might have done your calculations
19 to illustrate your opinion, but, in fact, your opinion was
20 based upon the one dimensional model. Do you remember saying
21 that several times?

22 A Well, I'm saying to you now that the opinion I presented
23 at the time of the deposition was basically --

24 Q And that's the one that I'm dealing with .

25 A And that's -- Then subsequently examined

1 with other calculations.

2 Q Well, when was it examined with other calculations?

3 A Well, I continued to work with the data as it becomes
4 available for me to substantiate and verify that the things
5 that I calculated at that time were consistent.

6 Q Did you do other calculations that you are relying upon
7 for your opinion of travel times after your -- after the last
8 day of your deposition on February 15, 1986? Did you make
9 any other refinements, add new numbers, do different calcula-
10 tions based upon information, or based upon activities that
11 you undertook after February 15, 1986?

12 A I don't think that those calculations have influenced
13 my basic opinion, sir.

14 Q Okay. So the basic opinion is what we heard at the
15 depositions, and that's what I'm trying to explore right
16 now.

17 A All right. Good.

18 Q Now, you did not, Dr. Pinder, you did not calculate a
19 different velocity for different portions of the distance
20 between the Grace site and Wells G and H?

21 A Not in this particular calculation.

22 Q All right. And you would agree with me, would you not,
23 that the velocity of groundwater would, in fact, in real
24 life vary depending upon the hydraulic gradient and the
25 hydraulic conductivity of the particular portion of the area

1 Q The Grace site is here (indicating)?

2 A I'm sorry.

3 Q Go ahead.

4 A The Grace site has more of the less permeable material.

5 As you go towards G, you get into more permeable material.

6 At the Grace site we have a combination of this material,

7 as you can see from the well logs, and as we get toward

8 Well G we are almost exclusively up in here (indicating).

9 So what I do, because we are working with averages, I use

10 the average taken from the low end of the area and high

11 end of the area to come up with one representative value

12 for the permeability along that line.

13

14

15

16

17

18

19

20

21

22

23

24

25

1 Q All right. Now, I think I asked you before, Dr. Pinder,
2 if you could tell us what were the different hydraulic
3 conductivity values that you selected along the way to come out
4 with the 75 foot per average figure, and I thought you said
5 that you could not tell us the specific hydraulic conductivity
6 values that you selected for those differing subsurface
7 conditions that you've just referred to.

8 Q Did I misunderstand that?

9 A I don't think you asked that question.

10 Q Well, I'll ask you now.

11 A I did average specific values. I don't happen to have
12 those calculations with me, but I did precisely what you
13 said, I took into consideration each of the different materials
14 and did an average.

15 Q You don't have them with you?

16 A No. It wasn't something that I saved since I was just
17 working with the average.

18 Q So we have no way of knowing whether or not the figure
19 that you selected for the area under the Grace site is
20 consistent with what other hydrogeologists would select for
21 the area between Grace and Cummings or the rifle range. We
22 just have to take 75 feet?

23 A No. No.

24 Q Well, we don't have the figures?

25 A No, I don't think my ideas have changed any since I did

1 those calculations, and the numbers that I would represent
 2 to you today are probably quite close to what I would have
 3 represented to you then.

4 Q Well, I'm going to show you something else, Doctor, so if
 5 you don't mind, why don't you stay there.

6 You know or are familiar with Dr. David
 7 Todd?

8 A I've met him. I'm not as familiar with him as I am
 9 with the other two.

10 Q He is a geohydrologist, I think, at the University of
 11 California, Berkley?

12 A I believe that's correct.

13 Q He is a well known and well respected member of your
 14 profession?

15 A I don't know him very well so I can't speak to that.

16 Q Are you familiar with his book, "Groundwater Hydrology"?

17 A I have that book on my shelf, sir.

18 Q And that book is a reliable source of information for
 19 people in your profession?

20 A Yes, I think so.

21 Q Now, on Page 29 of Dr. Todd's book he discusses soil
 22 classifications, and he also discusses representative
 23 values -- I've got the wrong page -- Page 71 -- representative
 24 values of hydraulic conductivity, and he says, after Morris &
 25 Johnson -- Do you know who Morris and Johnson is?

1 A No, I don't.

2 Q I'll tell you who they are. They're a group that
3 reports to the United States Geological Survey.

4 Now, on this particular diagram I have converted
5 the hydraulic conductivity from meters per day to feet per
6 day by going through a multiplication table that you're
7 probably much more familiar with than I am, and I draw your
8 attention first of all to the range of hydraulic conductivity
9 that Dr. Todd points out exists for subsurface conditions.

10 There is quite a range even in the meters per
11 day, right, between gravel, coarse and granite?

12 A Are those average values? I don't quite understand
13 what these values are.

14 Q He says they are representative values of hydraulic
15 conductivity, and there is quite a range, and that's what
16 we've been talking about, right?

17 A Yes, sir.

18 Q Now, I direct your attention specifically to what
19 Dr. Todd's table states about till, predominantly sand,
20 and I believe your testimony was, and we looked at some boring
21 logs from Weston, that much of the area underneath the ground,
22 the saturated zone between Grace and the well field is till,
23 sandy till; or I would take it, till, predominantly sand. Is
24 that fair to say?

25 A No. I think that that's misleading.

1 Q Well, you would at least acknowledge that according to
2 Dr. Todd, after Morris and Johnson, that when you have till
3 predominantly sand, he suggests a hydraulic conductivity
4 figure of 1.61 feet per day?

5 A Yes.

6 Q Now, --

7 A I have no reason to believe your arithmetic isn't right.

8 Q The arithmetic, I'll represent to you, is right.

9 What do you know about the 1.61 feet per day
10 for till?

11 A Well, for a sandy till, now, we're talking about till
12 which is a very tightly consolidated material, I think that
13 that number is not an unreasonable number for that particular
14 material.

15 Q All right. Now, --

16 A But that's not what we have.

17 Q What I would like you to do, Doctor -- excuse me,

18 Doctor --

19 Oh, incidentally, my attention is called to
20 another figure that I wanted to mention to you and I forgot.
21 There's a figure for peat here. Peat. And that has a
22 representative value of hydraulic conductivity for peat of 18.7
23 feet per day. Would you agree with that, Dr. Pinder?

24 A Would you remove your hand?

25 Q I'm sorry.

1 Is that a representative value of the
2 hydraulic conductivity of peat?

3 A That appears to be this gentleman's interpretation.

4 Q After Morris and Johnson?

5 A After Mr. Morris and Mr. Johnson, yes.

6 MR. KEATING: Have the jurors seen this?

7 Q Now, Doctor -- Why don't you resume your seat if you would,
8 Dr. Pinder.

9 Would you, Dr. Pinder, calculate for me a
10 D'Arcy velocity using the hydraulic gradient that you used
11 in the Grace model, but instead of using your 75 feet per day
12 would you take the hydraulic conductivity figure that Dr. Todd
13 uses in his figure, which is 1.61 feet per day, and tell us
14 what you get for D'Arcy's velocity if you used that figure?

15 A The numbers that you've asked me to calculate give an
16 answer of .029, I believe.

17 Q All right. So .029 feet per day as compared to the
18 D'Arcy velocity on this particular diagram of 1.35 feet per
19 day. So by changing the figure of conductivity to the figure
20 shown on the Todd chart you make a 46-fold change in the
21 D'Arcy velocity?

22 A That's right. Because we have material that's 46 times
23 more permeable than that.

24 Q And if you were then to translate the D'Arcy velocity
25 figure of .029 feet per day that you obtained by using the

1 figure I asked you to use from Dr. Todd, and you held every-
2 thing else constant in your calculations that you used to get
3 travel time, would you not, sir, get a chemical transport time
4 for chemicals from Wells G and H -- Strike that -- from the
5 Cryovac site to Wells G and H which would be 46 times longer
6 than the calculations that you testified to?

7 A If we assume that the numbers that you have provided
8 to me somehow represent the material between the Cryovac site
9 and Well G, and that the gradient .018 would be the same if
10 such materials in fact existed there, your calculations
11 indicate that it would take a much longer time.

12 Q And if we put it in years, it might even take a hundred
13 years?

14 A I can go through the arithmetic if you wish, but it would
15 take a long time.

16 Q Now, your model, sir, does not include net infiltration,
17 does it? And by "net infiltration" I mean the amount of water
18 which is added to the ground between the Grace site and the
19 wells either by rain or other forms of precipitation.

20 A Well, it does in essence, but I can understand how you
21 would think it doesn't. The potential gradient that we see, the
22 water table, we'd see a reflection of reality, and that water
23 table has in it whatever hydrologic forces are at work; and
24 since we're looking here at non-pumping conditions, we're
25 looking at the natural system, in that sense it does help

1 infiltration in that.

2 Q Well, Doctor, your gradient figure is merely a gradient
3 figure of distances. I'm talking about net infiltration,
4 which means the addition of water to this chemical which is
5 going down the hose.

6 A I think I represented the situation fairly clearly. The
7 infiltration of water into the groundwater system is reflected
8 in the geometry of the water table, whatever it is, and if we
9 take values from the field as we've done in this case to compute
10 that gradient, then in a hydrodynamic sense we have infiltration
11 accommodated, not accommodated in the same sense as we do in a
12 larger model where we have to create those gradients, because
13 they are not given to us, but in the sense that they reflect
14 that, I believe so.

15 Q But in the manner in which the expression "net infiltration"
16 is used by people in your profession, Doctor, you have not
17 included net infiltration in your one dimensional model, isn't
18 that fair, according to the commonly accepted principles that
19 your profession accepts, isn't that true?

20 A No. I think that what I stated to you is the correct and
21 appropriate way that net infiltration in the sense that you're
22 using it would be incorporated in a one dimensional model.
23 Basically, the potential reflects that infiltration, net
24 infiltration or any other kind of infiltration.

25

H
vw-pf

1 Q Now, your model does not include lateral or vertical
2 dispersion? And by that I mean, if I could continue on
3 that, the contaminants to spread out both laterally and
4 vertically?

5 A That is right. This calculation does not accommodate
6 that.

7 Q That calculation further does not accommodate the
8 addition of water to the aquifer, for instance, as a result
9 of the Aberjona River, does it?

10 A Yes, sir, it does in the same sense that the
11 infiltration is accomplished. Because whatever the river
12 does in this system, we know that its influence is reflected
13 in the groundwater surface as we see it. And since we use
14 direct measurements from the field in determining our
15 gradients and consequently our velocity, it is my opinion
16 we accommodated the river in that sense.

17 Q Now, your model assumes a uniform gradient, right?

18 A It assumes uniform gradient.

19 Q It assumes a uniform hydraulic conductivity, right?

20 A We use a constant value.

21 Q Right.

22 A When you say assume--

23 Q You used a constant value?

24 A I think that is representative, yes.

25 Q You used a constant value for porosity?

1 the Grace building, excavation which was looking for barrels
2 which were purportedly -- drums which were purportedly buried; and
3 as a result of that excavation a half a dozen or so drums were
4 discovered.

5 Do you remember that? Do you remember hearing
6 about that?

7 A Yes, I have knowledge of parts of that.

8 Q All right. Now, you have testified or stated twice under
9 oath that that location is not a probable source of contamina-
10 tion to Wells G and H. Is that still your opinion?

11 A I don't remember that I said it exactly like that, but
12 I can tell you what my opinion is if that's what you'd like
13 to hear.

14 Q Well, you stated in an affidavit that you have referred
15 to during this particular case that the contamination did not
16 come from the pit.

17 A May I see the document, please?

18 Q Yes.

19 A Is there a particular paragraph that's of interest to
20 you?

21 Q "The most recent chemical data indicates that the area
22 which was excavated by Grace's engineers in which six drums
23 were found is not the probable source of contamination at
24 the site."

25 Do you remember saying that?

1 A I can see it in front of me. I have no reason to
2 believe that I didn't write that.

3 Q And that was an affidavit that was furnished to the
4 Court in this particular case?

5 A Yes, sir.

6 Q And at your deposition you were also asked about the
7 pit. You were asked -- this was in January -- Question:
8 "Is it still your opinion that the most recent chemical
9 data that the area which was excavated by Grace engineers
10 and which six drums were found is not the probable source of
11 contamination at the site?" And your answer is, "Yes."

12 A Yes. I think there are other much more substantial
13 sources. Mr. Keating, you said "pit" and then you used that
14 synonymous with drums.

15 Q I'm speaking of drums.

16 A Thank you.

17 Q Okay. Going back, Dr. Pinder, to the beginning of your
18 work in this case, you characterized your role as a-- as to
19 come to an understanding of the hydrogeological conditions
20 of the Aberjona River Valley. Do you remember saying that
21 at a deposition?

22 A I don't remember the details, but certainly we were
23 talking about things like that.

24

25

vw-pf

1 Q In fact, you characterized it as your mandate. You
2 said your mandate was to try to determine the basic
3 physical processes that govern the flow of liquids in
4 the subsurface area of Wells G and H. Do you remember
5 saying that?

6 A Again, I don't remember the details. I have no
7 reason to believe I wouldn't have said that.

8 Q Now, of particular interest in coming to an
9 understanding of the hydraulic, hydrogeologic conditions
10 of the Aberjona River Valley would be to understand the
11 hydraulic connection between the Aberjona River and the
12 aquifer; is that true?

13 A I think it depends on what we are talking about. But
14 in its entirety I think it is part of the system and we
15 should have some understanding of how the system and aquifer
16 interact.

17 Q You testified on the last day of your deposition in
18 February of this year, Dr. Pinder, in your opinion, the
19 connection between the Aberjona River and the aquifer was,
20 in your own words, not well established? Do you remember
21 saying that?

22 A No. I think, considering the information that was
23 available to me there, I would have been thinking about
24 that particular aspect in the system and probably had not
25 formulated what I thought to be a complete understanding

1 of that particular aspect. Probably I felt I knew enough
2 about it that I could still have difficulty in presenting
3 my opinions on the contamination.

4 Q Well, at the time I am speaking about, which is
5 February 15, 1986, which was a year and a half at least
6 after you were retained to form an opinion about the
7 hydrogeological conditions in the Aberjona River Valley,
8 on that occasion in February of 1986 you said that your
9 opinion, Dr. Pinder, the connection between the Aberjona
10 River and the aquifer was not well established?

11 A I think you said I said that. I don't have a
12 particular problem with it. I think that we have seen the
13 pump test results at that point. It was very clear the
14 cone of depression went under the river. It was clear
15 to me from field information that water was being
16 discharged into the river under nonpumping stresses; that
17 the river was as a whole, the river sediments and
18 intervening material between river and aquifer were
19 relatively impermeable that I knew from calculations.
20 But in spite of all that knowledge, I think it was fairly
21 complete. I still felt that it would be nice to have
22 additional information. That is the sense in which I
23 answered that question.

24 Q When you said it was not well established, you mean
25 not well established in my mind or did you mean it was

1 not well established based upon hydrogeological information
2 which is published, recorded, circulated among
3 hydrogeologists? What did you mean when you said not well
4 established?

5 A What I meant was that I had in my hands at that time
6 a lot of information. The information was still coming in,
7 as I am sure you are aware. And I had what I thought was
8 a fairly good understanding of the system and the role of
9 the river. But it was one part of the system that I still
10 felt I would like to see additional data on before I felt
11 I understood everything completely.

12 Q Well, did you suggest to Ms. Woodward, the lady on my
13 right, who took your deposition, in response to that
14 question that you wanted more time or you needed more
15 information or that your testimony about the river not
16 being well established was somehow a connection between
17 the aquifer and the river not well established, somehow
18 equivocal or tentative or temporary? Did you make any
19 suggestions to her along those lines that are set forth
20 in your deposition that you can point out?

21 A I don't think I felt equivocal or many of the
22 adverbs you had listed in the statement. I think what
23 I was getting across to Ms. Woodward, I felt I had a
24 sufficiently strong handle on exactly what was going on
25 in that system I could give my opinions without

1 reservations. I believe that is what I did. That does
2 not mean I know everything there is to know about the
3 system. I was trying to explain to her that as more
4 information became available, I would learn more. I would,
5 in all likelihood, feel comfortable with my opinions and
6 that was the extent of it.

7 Q Dr. Pinder, you had some very important and relevant
8 information available to you from the U. S. Geological
9 Survey, which is sitting in those boxes behind you that
10 you did not even bother to look at until about the fifth
11 day that you were on the witness stand; and that related
12 directly to the connection between the Aberjona River
13 and the aquifer, which were those flow tests; is that true?

14 A I have no idea when that data became available to
15 the general public.

16 Q That data--

17 A May I finish?

18 Q Yes.

19 A As far as I knew, that information became available
20 to me within the last 30 days or so.

21 Q The information, Dr. Pinder, on the Aberjona River
22 Valley flow had been recorded by the USGS for years and
23 it had been -- it had been available as public information.
24 Aren't you aware of that?

25 A Mr. Keating, I called USGS yesterday and--

1 Q No, no.

2 A --they had no knowledge--

3 Q Please.

4 A --of these sites.

5 MR. KEATING: Your Honor--

6 THE WITNESS: I think that was responsive.

7 MR. KEATING: I would ask that that go
8 out. He asked-- I didn't ask if he called them.

9 THE COURT: Was he aware of the existence
10 of these reports for years and years?

11 The answer is yes or no.

12 THE WITNESS: I would say the answer in
13 this particular instance is no.

14 Q Now, are you aware the Aberjona River is described
15 by most people, if not all people, who study it as a leaky
16 river it?

17 A No, I am not aware of that.

18 Q Do you know what a leaky river is?

19 A It may leak out or it may leak in. I don't know which
20 people are referring to.

21 Q Would you agree with me if I told you a leaky river
22 is a river that both discharges water to the aquifer and
23 receives water from the aquifer?

24 A I think that would cover it.

25 Q Have you reviewed the report of the Massachusetts Water

1 Resources Commission on the Mystic River Basin, published
2 in 1973? And the Mystic River Basin is a river basin in
3 this area in which the Aberjona River is a part.

4 A May I review the document, please?

5 Q Let me ask you, first of all, have you ever seen this
6 document?

7 A May I look at it first?

8 Q Sure.

9 (Pause.)

10 A I have seen this document.

11 Q Now, on Page 29 of this document, Dr. Pinder, there is
12 a paragraph which reads under the words Aberjona River, "The
13 Aberjona River is somewhat unique in that by nature it is
14 a leaky river. Generally, river systems accumulate flow
15 traveling downstream, as their upstream drainage area
16 increases. The opposite has been observed on the Aberjona
17 River in certain areas. It is known the groundwater and
18 surface water hydraulic systems within the basins are
19 closely related. Withdrawal of groundwater from municipal
20 and industrial usages, well fields adjacent to the river
21 are therefore reflected in the abnormal stream flows."

22 Now, did you read that paragraph before
23 you told Ms. Woodward that the connection between the
24 Aberjona River and the aquifer was not well established?

25 A I don't believe I saw that document before I spoke

1 to Ms. Woodward. I don't think what they say is in any
2 way in conflict with what my current understanding of the
3 system is.

4 Q Did you review, Dr. Pinder, the Hydraulic Investigation
5 Atlas, which is prepared by the U. S. Geological Survey,
6 which is a division of the Department of the Interior and
7 is entitled Hydrology and Water Resources of the Coastal
8 Drainage Basins of Northeastern Massachusetts from Castle
9 Neck River, Ipswich to Mystic River, Boston, prepared by
10 David S. Delaney and Frederick B. Gay?

11 A May I see the document, please?

12 Q Yes.

13 (Pause.)

14 A I don't remember seeing this particular document.

15 Q Now, a hydrologic atlas, Dr. Pinder, is a report
16 which summarizes surface and groundwater resources within
17 a given area; isn't that true?

18 A It means many things. That seems like a reasonable
19 statement.

20 Q Would you consider a hydrologic atlas to be an
21 authoritative source of information for the area that it is
22 depicting?

23 A I think it depends on what you mean by a source. A
24 source of what?

25 Q Information.

1 A Well, some information I am sure it is useful for,
2 like large regional trends. I would think it would be of
3 marginal utility when you come down to very small areas.

4 Q Isn't a hydrogeologic atlas, Dr. Pinder, a document
5 which people in your profession routinely read and rely
6 upon when they are trying to get an understanding of a
7 particular aquifer for a particular hydrogeological area?
8 Isn't this a very typical standard document that is used
9 by people in your field?

10 A I personally don't use them very often. They are
11 normally very broad in scale. They are not much use to me.
12 I can see in certain instances where people are interested
13 in particular problems in a regional scale that is
14 consistent with that atlas. You can see it is not very
15 detailed. I am looking for more concrete information
16 about the area than I am interested in.

17 Q This atlas is prepared for the USGS. Would you agree
18 with me that the USGS has a high reputation of reliability
19 as any government agency; is that true?

20 A Well, first of all, is it for the USGS or by USGS?

21 Q Let me look at the title. It carries the headnote
22 of Department of Interior, U. S. Geological Survey.

23 A In all likelihood, it was prepared by USGS rather
24 than for them.

25 Q Yes.

1 A I think the work the USGS does -- and I worked for them
2 and I think I know them. I think it is very carefully done
3 by and large. When it comes out in a document like that,
4 you can feel whatever was behind that document was consistent
5 with what they knew at that time.

6 Q And this document has an area characterized as
7 Northeastern Massachusetts from Castle Neck River, Ipswich
8 to Mystic River, Boston. That included the Aberjona River
9 Valley, which is located in Burlington, Woburn and
10 Winchester?

11 A If I can look at it.

12 Q Certainly.

13 A It seems reasonable.

14 Q The document states, Dr. Pinder, the most productive
15 aquifers lie in the Aberjona River Valley where well
16 fields in excess of 500 gallons per minute has been
17 developed from municipal and heavy industrial use.
18 Additional groundwater supplies can be developed in the
19 valley. However, heavy groundwater pumping already
20 reduces the flow of the Aberjona River. Use of additional
21 groundwater and its discharge outside the basin would
22 further reduce stream flow.

23 A I think that the statement you just made is
24 completely consistent with our current understanding of
25 the system. When you pump groundwater you are going to

1 capture water that otherwise would have went to the system
2 in the first instance, and that will reduce the flow in the
3 river. If you pump the system sufficiently hard, you
4 actually draw water from the river. I think because the
5 USGS is by and large, has understood those kinds of
6 concepts, that is what they were interpreting.

7 Q Have you had occasion to review a document prepared
8 by the United States Geological Survey entitled Gazetteer
9 of Hydraulic Characteristics of the Streams of
10 Massachusetts, Coastal River Basins of the North Shore
11 and Massachusetts.

12 A May I see it, please?

13 (Pause.)

14 THE WITNESS: I don't believe I have read
15 this document, sir.

16 Q Are you familiar with the kind of document this is,
17 a publication of the U. S. Geological Survey?

18 A Again, it not the kind of document I normally rely
19 upon. I am not aware of that particular series.

20 Q Well, with regard to the Aberjona River on Page 29,
21 it has Mystic River basin, Aberjona River. It says,
22 "Flow affected by diversion for industrial use and for
23 municipal supply of Woburn and Winchester, and leakage
24 from Winchester's north reservoir."

25 Now, is that statement consistent with

1 your statement at your deposition that the connection
2 between the river and the aquifer is not well established?

3 A Yes. I think it certainly is. What they are talking
4 about is a very broad understanding. That is a huge area
5 they are talking about there. What I am talking about is
6 really understanding very subtle details of the system at
7 a level quite different than what they are talking about.
8 I don't think the person who wrote that would purport to
9 understand this stretch of river anywhere close to what
10 we understand right now.

11 Q If you do not understand the connection between the
12 river and the aquifer, Dr. Pinder, you also do not under-
13 stand groundwater flow in the Aberjona River Valley?

14 A I think that the level of understanding I have had
15 with the river at the time of my deposition and currently
16 gives me more than adequate information on which to
17 understand the groundwater system.

18 Q If you do not understand the groundwater flow on
19 the Aberjona River Valley, then you do not understand the
20 time that it takes for contaminants to be transported by
21 that groundwater within the Aberjona River Valley; isn't
22 that true?

23 A If I didn't know anything about--

24 Q No, as a general proposition. I am not saying you --
25 I am saying if you don't understand the groundwater flow

REC'D CO. BAYBROS. N.J. 07001

1 in the Aberjona River Valley and you don't understand the
 2 hydraulic connection between the river and the aquifer,
 3 then you don't understand the time it takes for the
 4 groundwater to carry contaminants through the Aberjona
 5 River Valley; isn't that true?

6 A Well, if you are talking about the area between
 7 basically the upper and lower bounding streets, if
 8 we did not understand the fundamental features of that
 9 groundwater flow system to the degree we did not
 10 understand them, we would have a poor representation of
 11 the system and we would have -- and we would have less
 12 certainty in time of travel associated with that system.

13 Q At your deposition, Dr. Pinder, you were asked the
 14 following question: "Does the existence of that river
 15 play any part in your opinion? Does that river, its flow
 16 or what happens to that river when it is pumped play a
 17 part in the opinion that you have just given us?"

18 What was your answer to that question?

19 A According to your notes, it was no.

20 Q The answer was no?

21 A According to your notes.

22 MR. KEATING: Thank you very much.

23 Your Honor, that is all I have.
 24
 25

rd L