

UNITED STATES DISTRICT COURT

DISTRICT OF MASSACHUSETTS

Civil Action
No. 82-1672-S

SKINNER, D. J.
and a Jury

ANNE ANDERSON, ET AL

V.

W. R. GRACE & CO., ET AL

Sixty-Ninth Day of Trial

APPEARANCES:

Schlichtmann, Conway & Crowley (by Jan Richard Schlichtmann, Esq., Kevin P. Conway, Esq., and William J. Crowley, III, Esq.) on behalf of the Plaintiffs.

Charles R. Nesson, Esquire, on behalf of the Plaintiffs.

Herlihy & O'Brien (by Thomas M. Kiley, Esq.) on behalf of the Plaintiffs.

Hale & Dorr (by Jerome P. Facher, Esq., Neil Jacobs, Esq., Donald R. Frederico, Esq., and Deborah P. Fawcett, Esq.) on behalf of Beatrice Foods.

Foley, Hoag & Eliot (by Michael B. Keating, Esq., Sandra Lynch, Esq., William Cheeseman, Esq., and Marc K. Temin, Esq.) on behalf of W. R. Grace & Co.

Courtroom No. 6
Federal Building
Boston, MA 02109
9:00 a.m., Thursday
June 26, 1986

Marie L. Cloonan
Court Reporter
1690 U.S.P.O. & Courthouse
Boston, MA 02109

Al

1 THE COURT: Good morning, ladies and
2 gentlemen; good morning, counsel.

3 Proceed with the cross-examination of
4 Dr. Guswa.

5
6 JOHN GUSWA, Sworn

7 CONTINUATION OF CROSS-EXAMINATION BY MR. SCHLICHTMANN

8 MR. SCHLICHTMANN: Your Honor, for the
9 record, I neglected to identify for the record the
10 exhibits that were used yesterday. I would like to do that
11 for the record.

12 THE COURT: The chalks.

13 MR. SCHLICHTMANN: Excuse me, the chalks.

14 The first chalk is 901A, and that had an
15 overlay on it, which was 901B, and that was then placed
16 over another diagram which is 901C.

17 THE COURT: All right. Which one was the
18 reproduction of Mr. Koch's diagram? Didn't you use that?

19 MR. SCHLICHTMANN: 901A is a xerox
20 reproduction of Mr. Koch's diagram. And 901C is a
21 photograph reproduction of his diagram.

22 In addition, for the record, we placed
23 an overlay marked P-902 upon a previous chalk identified
24 as G-952.

25 MR. KEATING: Was that D? I'm sorry.

1 MR. SCHLICHTMANN: The overlay was P-902
2 and placed over G-952.

3 Q And, Dr. Guswa, perhaps you could just mark on this
4 overlay some boundary points so when we take the overlay
5 off we can always line it up again.

6 A Okay.

7 Q For the record, 901B, the overlay, does match up
8 with those 901A and 901C, the white dots over the top of
9 both diagrams.

10 Perhaps you can line it up on the border.

11 THE COURT: You are the expert on overlays,
12 Mr. Schlichtmann, perhaps you can do it.

13 MR. SCHLICHTMANN: All right, I will do it.

14 THE COURT: I don't know what happened to
15 the graphics industry in this town, but it is going to
16 suffer a major depression.

17
18 End A
19 Ls
20
21
22
23
24
25

3S/kr

1 Q Dr. Guswa, during examination by Mr. Facher, you were
2 asked the following question, "Now, I'm sure everyone,
3 including Mr. Schlichtmann, would like to know why there are
4 no arrows pointing directly at the heart of the Beatrice site.

5 I'll do some of your work for you.

6 Can you explain that, sir?"

7 Do you remember being asked that?

8 A Yes.

9 Q And your answer was "Yes. The fundamental reason for
10 that is that the data are not sufficient to draw conclusively
11 what the groundwater flow direction is in this location."

12 Do you remember answering that?

13 A Yes, I do.

14 Q Is that your testimony?

15 A Yes, it is.

16 MR. FACHER: I believe that was a part of the
17 answer. I don't have a transcript. Was there a later answer?

18 MR. SCHLICHTMANN: Yes, but just that sentence.

19 Q Is that still your testimony?

20 A Yes, sir.

21 MR. FACHER: What page is that, sir?

22 MR. SCHLICHTMANN: Volume 68, Page 68.

23 Q Now, also, you were asked by Mr. Keating, and I guess
24 to put it in proper context I should probably say it's
25 Volume 68, Page 21, you were asked the question, "All right.

1 Is it your opinion, Dr. Guswa, that any of these five
2 sources of contamination that you have information or you
3 have evidence, that any of these five sources of contamination,
4 in fact, contaminated, in your opinion, at least to the degree
5 of certainty that you would want as a hydrogeologist, the wells
6 in May of 1979?"

7 And you answered, "I'm confused by the question."

8 Do you remember that?

9 A Yes.

10 Q You were then asked, "Do you have an opinion" --
11 actually, two questions, but there was objection -- but you
12 were then asked, "Do you have an opinion as to whether any
13 of these mechanisms were, in fact, the source of contamina-
14 tion?"

15 Do you remember that being asked?

16 A Yes.

17 Q And you answered, "I don't know that any particular
18 mechanism was the exact source of contamination."

19 Do you remember answering that?

20 A Yes.

21 Q Is that still your testimony?

22 A In the general sense, that is still my testimony.
23 The problem I have in responding to that question and to
24 your question is any one of those could have resulted in the
25 contamination found in Wells G and H. No one has collected

1 any data to tell me exactly when the sewer overflowed, the
2 exact minute, and traced the path, let's say if the sewer
3 were the source, that got to Wells G and H. There is no data
4 to make that determination. I cannot create facts. I can only,
5 as a hydrogeologist, discuss probable pathways to Wells G
6 and H. And in my opinion, any one of those is a probable
7 pathway to Wells G and H.

8 My understanding of the industrial history of
9 that area and what is going down that river valley further
10 leads me to conclude that there is a probable pathway to
11 Wells G and H.

12 Q All right.

13 But you haven't made that determination, have
14 you? You said nobody else has. That means you, yourself,
15 haven't made that determination.

16 THE COURT: Which determination?

17 MR. SCHLICHTMANN: The determination of the
18 particular mechanism that was the exact source of the
19 contamination of Wells G and H.

20 A It may have been one. It may have been all.

21 Q You don't know which one?

22 A That is correct.

23 Q Now, Dr. Guswa, you talked at great length about the
24 work that you did in putting together this computer model
25 of the aquifer. Do you remember that?

1 A That is correct.

2 Q And the steps that you followed in putting together
3 that model, you consider that those steps should be taken by
4 any hydrogeologist who wishes to gain an understanding of
5 the squifer by use of a computer model, is that right?

6 A I am not quite sure which steps you mean. I think if
7 anyone is trying to understand the groundwater hydrology in
8 the area, he would approach it generally in the same manner
9 that I have approached it.

10 Q You considered the way you did it was good hydrological
11 practice?

12 A Yes.

13 Q And anybody else who was doing a computer model would
14 have undertaken the same essential steps that you did, if they
15 were also going to engage in good hydrological practice in
16 putting together a model of the area, is that right?

17 A No, that is not correct. Models are the tools we use
18 to analyze the system. The degree of complexity we incorporate
19 in the model is a reflection of the degree of complexity of
20 the groundwater flow situation and the precision and accuracy
21 we want in our answer. If we don't need or tend not to
22 include complexity or care not to be precise and accurate
23 about our measurements, we won't use a complex model.

24 Q You used a complex model?

25 A Very complex.

1 Q The purpose of using it was for you to understand this
2 aquifer and how it operates?

3 A Actually, it works back and forth. If you remember on
4 that illustration conceptual understanding, model construc-
5 tion or revision, reality check, as you develop the conceptual
6 understanding, you make an initial model construction. As
7 you test the model, you get information about whether you
8 need to add complexity to the model or not. So it's an
9 interim process. We don't let the model drive our
10 conceptual understanding nor do we let the conceptual under-
11 standing totally drive the model.

12 Q The model is an attempt to understand a reality?

13 A That is correct.

14 Q And the model makes use of mathematical equations and
15 computations which are put together with various mathematical
16 and algebraic formulas in attempts to make reality, recreate
17 reality, using those mathematical formulas?

18 A It's an attempt to recreate the important aspects of the
19 reality; it cannot incorporate all the aspects of the
20 reality.

End B

21

22

23

24

25

C1

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Q So oftentimes it has to average things?

A That's correct.

Q And make interpolations?

A That's correct, just as we would in any other method of analysis.

Q Now -- Well, I guess we will have to do it from here. It is a little small.

Dr. Guswa, if you wouldn't mind coming up to the jury box?

A (Witness complied.)

Q Dr. Guswa, I show you a diagram. Am I right that on this diagram is indicated a grid system such as would be used in a computer model?

A That's correct.

Q If, in fact, this is the area trying to be understood, this grid is how a hydrogeologist in trying to understand this actual real system would in essence grid the system (indicating)?

A Not exactly.

This is Dr. Pinder and John Bredehoeft who prepared this model back in the early 1960s, one of the first attempts of using a groundwater model. This is a finite definition; this is in Nova Scotia. The actual grid that they constructed is a rectangular prism that covered this area. What they have left out here

C2

1 are areas they assigned zero permeability or no water
2 transmissive properties to the areas they were considering.

3 Q They put bounds on the system and then analyzed
4 the system within those bounds?

5 A That is correct.

6 Q They are trying to understand what is going on here
7 (indicating)?

8 A That is correct.

9 Q And here is this right here (indicating)?

10 A Yes.

11 Q That was, as you indicated, that was done by
12 Dr. Pinder and Dr. Bredehoeft in 1968, and it is a very
13 well-known study; is that right?

14 A Yes.

15 Q And the study they were trying to do, they were
16 applying these principles of computer modeling to an
17 area in which there was a well pumping near a river?

18 A That's correct.

19 Q It was the Musquoduboit River.

20 A I still don't know how to pronounce it.

21 Q In doing their model, as a matter of fact, as
22 indicated here, they determined that the cone of depression
23 from that well went underneath that river, is that
24 indicated right here (indicating)?

25 A That's correct.

1 Q Now, the model that you used was the model developed
2 at the USGS, is that right?

3 A One of the models was developed at the USGS, and
4 one of the models was developed for the Nuclear Regulatory
5 Commission.

6 Q The model you used you told the jury was the USGS
7 model?

8 A Yes.

9 Q The USGS was at the forefront of using computer
10 models to understand aquifers, is that right?

11 A That is correct.

12 Q When did you start to work for the USGS?

13 A 1974.

14 Q And you are aware of the fact that the USGS model
15 that was used by the USGS has been used by the USGS was
16 developed and applied by Dr. Pinder and based on
17 Dr. Pinder's and Dr. Bredehoeft's work, that is right?

18 MR. KEATING: I object, your Honor.
19 We are now referring to something in Nova Scotia. I
20 don't see the relevance of that.

21 THE COURT: Well, I suppose the question
22 is whether the theoretical applications, the theoretical
23 structure of the model was based upon some theories of
24 modeling developed by Dr. Pinder and the other fellow.

25 THE WITNESS: I would actually like to

1 answer the question.

2 THE COURT: Is that your question?

3 MR. SCHLICHTMANN: Yes.

4 THE COURT: If that is the question, that's
5 okay.

6 A This was the first groundwater flow model developed
7 at the United States Geological Survey. It is two-dimen-
8 sional groundwater flow model. Our computer capability
9 at the time this model was developed were such that we
10 were just getting into the geological survey and was
11 just getting into the forefront of applying these
12 techniques. Since that time, the Survey has developed
13 a three-dimensional model, three-dimensional flow of
14 saturated flow and unsaturated flow, and, in addition,
15 the chemical transport model. This is not the USGS
16 survey model as used to -- This is the first one to
17 develop a groundwater flow model.

18 Q That was work done by Dr. Pinder at the USGS?

19 A Dr. Pinder and John Bredehoeft.

20 Q At the USGS?

21 A At the United States Geological Survey.

22 THE COURT: Does the model shown on that
23 diagram, is it not theoretically the same as the model
24 that you were using, is that correct?

25 THE WITNESS: That's correct.

1 Excuse me, I'm sorry, your Honor.

2 Theoretically the equations are the same. That one
3 had the capability of only looking at one layer at a time.
4 It did not have the capability of looking at several
5 different layers. It was a vertical averaging process
6 that had to be incorporated into that model, just as
7 we study more and more problems, there was a research
8 group that was developing modeling techniques and more
9 complex ones.

EndCl

10 THE COURT: When did the three-dimensional
11 model get developed?

12 THE WITNESS: The first development of the
13 three-dimensional model was by Peter Trescott, who
14 worked with Dr. Pinder at the Geological Survey also, and
15 that was probably 1972 or 1973.

16 In 1976, there was a further revision by
17 Steve Larson and Peter Trescott to that three-dimensional
18 model. Since that time, there has been other revisions
19 and modifications and updates. It doesn't mean that the
20 earlier versions were not good, it is just that we are
21 adding more capabilities.

22 Q (By Mr. Schlichtmann) Well, you will agree, the
23 U.S. Geological Survey three-dimensional model was an
24 outgrow of Dr. Pinder and Bredehoeft?

25 MR. KEATING: I object. This is the problem

1 we had a couple of days ago.

2 THE COURT: I don't know if it matters
3 much at this point. I will sustain the objection.

4 Q Now, Dr. Guswa, you are familiar with the work that
5 Dr. Pinder has done in this area of three-dimension model?

6 MR. KEATING: I object.

7 THE COURT: Overruled.

8 A Could you repeat the question?

9 Q You are familiar with the work in the area of
10 computer simulations of aquifers?

11 A Yes. In general applications of modeling techniques?

12 Q Yes.

13 You know he has written in the field?

14 MR. KEATING: I object.

15 THE COURT: Unless this is going to come
16 to some specific question, I will sustain the objection.

17 I'll hear where you are going.

18 (Conference at the bench as
19 follows:

20 MR. SCHLICHTMANN: Dr. Pinder has used
21 and developed a three-dimensional model of this aquifer.

22 THE COURT: So what?

23 MR. SCHLICHTMANN: It is not so what. The
24 defendants have not conceded that Dr. Pinder has done that.

25 MR. KEATING: Where are you going?

1 THE COURT: I didn't get the final question.

2 MR. SCHLICHTMANN: That Dr. Pinder has
3 developed a three-dimensional model and he is familiar
4 with this aquifer system.

5 THE COURT: Of this aquifer system.

6 MR. SCHLICHTMANN: A three-dimensional
7 model that was provided to him. He made an insinuation
8 that Dr. Pinder does not have a three- --

9 THE COURT: I have not heard that.

10 MR. SCHLICHTMANN: That is the insinuation
11 of the cross.

12 THE COURT: I have not heard it and I will
13 sustain the objection.

14 MR. KEATING: Before he asks the question --

15 THE COURT: If Dr. Pinder did a three-
16 dimensional model, it was either used --

17 MR. SCHLICHTMANN: It was used and
18 presented to the jury.

19 THE COURT: Fine. Then that is all there
20 is to it. We don't need to go over it again.

21 MR. SCHLICHTMANN: I want Dr. Guswa to
22 explain that such was done. It is my cross-examination,
23 your Honor.

24 THE COURT: Listen, you seem to think you
25 can do anything you please on cross-examination.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

MR. SCHLICHTMANN: No, that is not true.

THE COURT: You can't. I am going to sustain the objection. It has no bearing on what this man has been talking about.

MR. KEATING: I want to point out while we're up here, we had this discussion before. Dr. Pinder categorically said when he testified that his opinion was based on a one-dimensional model. I said at one point, I said six times, "You said at your deposition it was." Now, I know there is a back door --

THE COURT: Listen, I ruled on the question. The objection is sustained.

MR. SCHLICHTMANN: Thank you.

END OF CONFERENCE AT THE BENCH.)

BS/kr

1 Q Dr. Guswa, a computer model has certain basic
2 principles, basic scientific principles which apply to it,
3 is that right?

4 A That is correct, if it's developed properly, yes.

5 Q Yes.

6 And one of the principles of a model is that
7 conservation of mass, that is one of the basic principles
8 of the model?

9 A Yes.

10 Q Now, a model is an attempt to understand a particular
11 area, in this case, your model, the East Woburn aquifer.
12 And conservation of mass, the principle is is that for instance
13 what comes into this area has to come out of the area or
14 be left behind in the area?

15 A That is correct.

16 Q That is a very simple way of stating conservation
17 of mass. And the model to understand the system has to be in
18 balance, it has to be in equilibrium, doesn't it?

19 A The total accounting system has to balance. The model
20 doesn't have to be -- Well, generally what you said is correct.
21 It's a technical semantic, but it is not important.

22 Q The points is you want to understand the system?

23 A Yes.

24 Q So what you do is, you showed it very nicely on this
25 exhibit, I think --

1 Would you come here to the jury.

2 G-966 very nicely shows the grid system
3 you put into the system. It shows the bounds. This is the
4 area you wanted to understand?

5 A Yes.

6 Q You put these grids over all of these areas and inside
7 each grid goes mathematical formulas which try to under-
8 stand that particular area of the aquifer?

9 A Yes, it provides a relationship between each of the
10 blocks.

11 Q And all of these blocks have to be in equilibrium,
12 have to be all working together for you to understand, for you
13 to get the feeling that what came in is coming out or is
14 left behind, but you have an understanding of what is going
15 on in there, is that correct?

16 A That is correct.

17 Q Step to the side, please.

18 A Oh, I'm sorry.

19 Q Now, Dr. Guswa, this grid system covers the W. R. Grace
20 site up here?

21 A That is correct.

22 Q And UniFirst over here?

23 A Yes.

24 Q And Hemingway Trucking down here?

25 A Yes.

1 Q And Olympia Avenue here?

2 A Yes.

3 Q Salem Street here?

4 A Yes.

5 Q And the railroad track going through here?

6 A Yes.

7 Q And, of course, the river, going through here?

8 A Yes.

9 Q And also the Beatrice site?

10 A Yes.

11 Q Could you outline to us for the jury on this overlay
12 the outline of essentially the Beatrice site, roughly. Doesn't
13 have to be that exact.

14 A Okay. (Witness complies.)

15 Can I get a different one for data location?

16 Q Sure. Will that help?

17 A Yes. There you go.

18 I will take it down.

19 That is approximately it, I think.

20 Q All right.

21 Now, the other exhibit right here, by
22 reference to this exhibit, would you show the jury, would
23 you outline on that exhibit, as well -- I can just hold it
24 here -- the area that you don't have an understanding as
25 to groundwater flow. Would you indicate that on your grid

1 system?

2 MR. KEATING: I object to the question.

3 A I think that is a fair --

4 THE COURT: I sustain the objection.

5 Q You said that you didn't understand groundwater
6 flow at the Beatrice site, is that correct?

7 A I said on January 3rd, 1986, on the basis of that
8 water level data, I cannot tell you exactly which direction
9 particles of water are moving.

10 Q Would you please indicate on that diagram the area
11 that you don't understand where groundwater flowed on
12 January 3rd at the end of the pump test?

13 MR. KEATING: I object. That is not what he
14 said.

15 MR. SCHLICHTMANN: I think that is exactly
16 what he said.

17 THE COURT: No, it isn't exactly what he
18 said. The question of the area is what we're trying to get,
19 not a characterization of the witness's testimony.

20 MR. SCHLICHTMANN: I am trying to define it.

21 THE COURT: So if you indicate the area in which
22 the data is, in your view, insufficient for you to be able
23 to determine the water flow as of January 3rd, '86, I think
24 that will satisfy the requirements of the day.

25 MR. SCHLICHTMANN: Satisfy me, too. Thank you.

1 A What I am going to draw is the area within which there
2 is not sufficient vertical control on the measuring points
3 for the wells nor is there sufficient precision in the
4 water level data to go in and, as a professional, draw a
5 groundwater flow direction as a specific direction.

6 I understand the general flow direction, but I don't under-
7 stand the specific direction.

8 Q All right.

9 Would you indicate that area?

10 A (Witness complies.)

11 Q Now, do I take it, then, Dr. Guswa, that you do under-
12 stand, have an understanding, are willing to give an
13 opinion, about the groundwater flow which is outside that
14 blue circle? Am I right about that or am I wrong about
15 that?

16 A Well, you are right in saying it that way. However,
17 unfortunately, I was focusing on where we had drawn the red
18 arrows yesterday.

19 Q What I am really interested in doing, and correct me
20 if I am wrong, all I want to do is I'd like you to indicate
21 to the jury, as best you can on your computer grid, the
22 area which you, as a professional, don't feel that you can give
23 an opinion or don't have an understanding of that area on
24 January 3rd, at the end of the pump test.

25 MR. KEATING: Object to the breadth. I think

1 he hasn't said he doesn't have any understanding.

2 THE COURT: He can't give an opinion as to
3 water flow. He's drawn the marks in response to questions
4 on cross-examination, but he is not prepared to give his
5 opinion that those are the correct marks, is that correct?

6 THE WITNESS: That is correct.

7 THE COURT: All right.

8 Q Now, you are supposed to have indicated on the chart
9 there what the area is. Have you done that?

10 A Yes.

11 THE COURT: What is the next question?

12 Q My question is: Am I right -- please correct me if I am
13 wrong -- that outside this circled area you are prepared
14 to give an opinion about groundwater flow in this area on
15 the morning of January 3rd, '86, or am I wrong about that?

16 MR. FACHER: Objection.

17 A I will give an opinion.

18 THE COURT: The objection is overruled.

19 A Yes.

20 Q Okay.

21 You are prepared to give such an opinion?

22 A Yes, I am.

23 Q Now, would you be able to indicate that opinion on this
24 diagram?

25 MR. KEATING: Write the opinion out?

1 A I can indicate it on this one.

2 Q Do it on this one and we will do it on this one.

3 MR. FACHER: I object.

4 THE COURT: The objection is overruled. If
5 he can indicate the water flow on that diagram, he may do so.

6 A In my opinion, it's going directly into the area of
7 greatest uncertainty, and once it gets in there, I don't know
8 which way it is going.

9 Q You have no idea?

10 A That is correct, on the basis of these measurements.

11 Q Now, Dr. Guswa, please correct me if I am wrong. The
12 purpose of doing the computer model is to get an understand-
13 ing of the aquifer. Am I right about that?

14 A That is correct.

15 Q And if there is an area in the aquifer -- Every area on
16 this map, on this grid, you, in order to understand this
17 system, in order to have a complete model, you are forced
18 to place into every one of these grids some value. You
19 have to put some value in there, isn't that right?

20 A That is correct.

21 Q And whether you like it or not, a value has to go in
22 there or there will be a big hole in your model that you
23 just don't understand what is going on?

24 A That is correct.

25 Q And, in fact, in your computer model, you put values in

1 this zone of uncertainty?

2 A That is right.

3 Q You put them in there?

4 A That is correct.

5 Q And then you turned the computer on and you asked it,
6 "Well, now, based on what I put in there, where is the
7 groundwater"?

8 A That was under non-pumping conditions I made that check.
9 Mid-November water level, water measurements, no wells
10 pumping, I made that determination.

11 Q Between January 26 and today, did you ever go to your
12 computer model and ask it, "Tell me, please, how does the
13 groundwater flow, based on the values that I put in these grids
14 here, how does the groundwater flow," or "how should have
15 it flowed if I have my model all correct, if I understand
16 reality, how did groundwater flow on the morning of
17 January 3rd, '86, just before they clicked off the wells?"

18 A I was never asked that question.

19 Q You were never asked that question.

20 Is your model able to answer that question?

21 A The questions that I asked it relate to how much water
22 level change was there in this general area as a result
23 of pumping of Wells G and H and the Riley well.

24 Q Yes.

25 A I made a calculation and was satisfied the calculated

1 response was agreeable or acceptable to what was observed.

2 Now, we talk about making approximations
3 and we talk about uncertainties. There are a lot of un-
4 certainties about the pumping of the Riley wells when --
5 They were pumping, how much -- only because they were running
6 on their normal operations. The precision of the
7 measurements adds to the uncertainty.

8 We're talking, I think I said, three-tenths
9 of a foot, but I went back and it's probably only two-tenths
10 of a foot variation on some of the wells. To make a determina-
11 tion of the exact direction of movement at this scale, at the
12 level of detail you are asking me to make it, you cannot do
13 it on the basis of blocks that are a hundred fifty-five by a
14 hundred fifty feet wide, which is what these are.

15 Q But, Dr. Guswa, am I not correct, and please correct
16 me if I am wrong, every place that you have a grid is a place
17 which you put a value in, is that right?

18 A That is correct.

19 Q And every place that you put a value in, every place
20 you have a grid, your computer model can tell you for that
21 grid where the direction of the groundwater flow on
22 January 3rd, 1986, before they turned the wells off is? Am
23 I correct about that?

24 A No, you are not correct.

25 You remember the last step of the box. The

1 flow chart interpret results. The interpretations we made
2 are based on our understanding of the precision and the
3 accuracy of the data that go into it. We cannot make a more
4 precise determination on our model than we have actual pre-
5 cision in our measurements. It would be unrealistic to try to
6 do it.

7 THE COURT: Is that expressed by the phrase,
8 "Garbage in, garbage out?"

9 THE WITNESS: It is expressed by the phrase,
10 "If you put garbage in, you will get garbage out," but it
11 also means do not try and overextend the capabilities of
12 the model.

13 Q All right.

14 Now, what I'd like to know is, I would
15 like to know if I asked you, based on all the good work you
16 have done on this aquifer, if I asked you, I was very
17 interested, was very, very interested --

18 MR. KEATING: Can we leave the characteriza-
19 tion of Mr. Schlichtmann's attitude out?

20 THE COURT: Oh, he needs a certain amount
21 of latitude --

22 MR. KEATING: I withdraw it. I withdraw it.

23 THE COURT: -- in the questioning process.

24 MR. SCHLICHTMANN: I won't abuse the privilege,
25 your Honor. I will try not to.

E1

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

MR. SCHLICHTMANN: I won't abuse the privilege, your Honor. I will try not to.

THE COURT: Go ahead. Add a little color to the thing.

Q (by Mr. Schlichtmann) If I was somewhat interested in understanding in this particular area here (indicating) with all of these wells, I wanted to know when Wells G and H were pumping, how did the groundwater flow from this area over to Well G, I wanted to know that, and I had constructed a computer model, much as you had done --

A Yes.

Q -- and your computer model understood reality or as best as a computer model can understand reality --

A That is correct.

Q -- could I not go to that computer model and say, if I got everything right everywhere else and I thought I got it right where you are, in this area here, would you please tell me how does the groundwater flow in that particular area in that particular grid to Well G at the end of the pump test, I would be able to have the computer give me that answer; wouldn't I?

MR. FACHER: Objection.

A I think --

THE COURT: Do you understand the question, Dr. Guswa?

E2

1 THE WITNESS: Pardon?

2 THE COURT: Do you understand the
3 question?

4 THE WITNESS: I think I do, yes.

5 THE COURT: All right, you can answer it.
6 The objection is overruled.

7 A I think the fundamental difference we are having
8 here, Mr. Schlichtmann, is if that were the question
9 that I were trying to answer --

10 Q Yes.

11 A -- this is, what is going on between the Beatrice
12 site and Wells G and H, I would have used a different
13 model construction and a different type of analysis using
14 the same model than I did for the problem that I was
15 asked to analyze, which was flow from here toward the
16 center of the valley (indicating).

17 If what controls the movement of ground-
18 water in this area is the hydraulic properties as well
19 as the sequence of pumping at Wells G and H as well as
20 at Riley. That would require that someone spend a lot
21 of time making a very fine subdivision on the grid doing
22 the same type of exhaustive checking, but also incorporate
23 in a very detailed manner the pumping history of those
24 wells.

25 We have the pumping records for Wells G

1 and H. We don't have any pumping records for the Riley
2 wells, so I've made the approximation the best I can.

3 I cannot make a precise interpretation
4 of the model results regarding groundwater flow directions
5 in this area where I don't have the fine grid nor do I
6 have the pumping information.

7 THE COURT: Were you asked, Dr. Guswa,
8 to make any study of the Riley property?

9 THE WITNESS: No, I was not.

10 THE COURT: I see.

11 Q (by Mr. Schlichtmann) All right.

12 Now, you said your model was constructed --
13 correct me if I'm wrong -- so that you could understand
14 groundwater flow from the Grace site to Wells G and H.
15 Am I right about that? Is that what you said?

16 A That was the objective of my study. And the model
17 was used to help me do that.

18 Q So, because you constructed it so you could understand
19 how groundwater flowed from the Grace site to Wells G and
20 H, you didn't construct it so you could understand other
21 aspects of the aquifer which included the Beatrice site,
22 am I right about that?

23 A No, you are not right.

24 Q Where am I wrong?

25 A My analysis, which is flow from here to here, I don't

1 need to know whether a parcel of water is going to move
2 in a south 44 degree east direction or whether it is going
3 to move in a south 60 degree east direction, if I can
4 use that terminology, that level of precision on this
5 part of the area has no relevance, really about ground-
6 water flow from Cryovac to the center of the valley.

7 What is important is the bulk or the gross
8 characterization of flow on the western side of the river
9 is incorporated in the model. I think it is, but I can't
10 make the kind of precise determination you are asking
11 me to. It is beyond the capability of the modeling
12 technique and the grid of the model I have used
13 (indicating).

14 Q So I am asking you, you are incapable of placing
15 an arrow between this arrow and this arrow because of
16 the limits of your understanding of the system, am I
17 right about that?

18 MR. FACHER: Objection.

19 A No, it is not correct at all.

20 Q You can put an arrow between those two arrows.

21 MR. FACHER: Objection.

22 THE COURT: The objections are overruled.
23 The witness seems to be able to take care of himself.

24 A I understand the groundwater flow system. I also
25 understand that to determine which way the groundwater

1 is flowing, I need or anyone would need precise
2 determinations at all of these points. There is not
3 enough precision in there and there is a lot of confusion
4 in here so no one can make a determination about which
5 way exactly the groundwater is flowing unless they are
6 not willing to recognize the uncertainties in the
7 elevation data for the individual measurements in the
8 wells.

9 Q So what I am asking you, then, because for all of
10 those reasons, all right, I'm asking you, are you capable
11 or are you incapable, based on all the work that you have
12 done, to place an arrow between this arrow and this arrow
13 to indicate general groundwater flow movement in that
14 area on January 3rd, 1986, at the end of the pumping
15 test?

16 MR. FACHER: Objection.

17 A That is a different question than you asked me
18 before.

19 MR. KEATING: Wait, Dr. Guswa.

20 THE COURT: The objection is sustained.
21 That is substantially the same question that has been
22 asked four times, and I think now adequately answered
23 or as well answered as it is going to be. So we will
24 go to another question.

25 Q Dr. Guswa --

1 A Yes.

2 Q -- do you have an opinion as to the general ground-
3 water flow movement that is bounded between this area,
4 do you have a general opinion or you do not have a
5 general opinion?

6 MR. FACHER: Objection.

7 A I have a general --

8 THE COURT: Waite a minute.

9 THE WITNESS: He didn't speak up.

10 MR. FACHER: Objection.

11 THE COURT: Sustained. The objection
12 is sustained.

13 It doesn't help to repeat the question
14 louder and louder each time.

15 MR. SCHLICHTMANN: I just want to know
16 if he has an opinion or doesn't have an opinion.

17 THE COURT: He told you exactly what the
18 level of his opinion is and what the restrictions are
19 on it. I don't know how much more detail we can get.
20 If you want to make an argument about it, the time will
21 come at the end, at the close of the evidence. Get on
22 with a new question.

23 Q A new question, Dr. Guswa.

24 Did you have an understanding of this
25 area of the aquifer so you could put a groundwater flow

1 arrow (indicating)?

2 THE COURT: Which area are you pointing to?

3 MR. SCHLICHTMANN: Right up here to the
4 north (indicating).

5 THE COURT: North of the area we were
6 discussing previously.

7 MR. SCHLICHTMANN: Just north of the
8 Beatrice site, a hundred feet, just above the property
9 line.

10 Q (by Mr. Schlichtmann) Did you have an understanding
11 to put that arrow?

12 A Yes.

13 Q How many wells did you have in that area?

14 A This arrow --

15 Q Yes.

16 A -- is based on this well --

17 Q Yes.

18 A -- based on these wells and based on these wells.

19 Q So you used these wells to put that arrow?

20 A Yes.

21 Q You used the wells in the zone of uncertainty to
22 place your northern arrow?

23 A That is correct, and there is a reason for that.

24 Q Good. Just a minute, and we will get to the reason.

25 How about this arrow here, how many wells

1 did you use to put that arrow in there (indicating)?

2 A I used this well, and I used these wells, and I used
3 this well, and I used that well, and I used these wells
4 (indicating).

5 Q The wells in the zone of uncertainty?

6 A That's correct.

7 Q Now, this arrow down here, which wells did you use
8 to put that arrow?

9 A I used these wells, and I used these wells.

10 Q The wells in the zone of uncertainty?

11 A That's correct.

12 Q Now, Dr. Guswa, because you were able to place
13 arrows using a well up here and still able to use the
14 wells in the zone of uncertainty, is it not also the
15 case that you could also have placed an arrow using
16 S79 up here of which there is no dispute, is there,
17 about the water level at S79?

18 A I don't think there is any dispute on that one.

19 Q No dispute on that 79.

20 A Okay.

21 Q So am I not right, you could have used S79 and the
22 wells in the zone of uncertainty to come up with an
23 arrow for this area here or am I wrong (indicating)?

24 A No, you are wrong.

25 Q I'm wrong.

1 The reason I'm wrong, Doctor --

2 THE COURT: Would you state the reason?

3 THE WITNESS: I will state the reason
4 very clearly. S78, 76, the elevation is 44.52 feet.

5 The elevations in the zone of uncertainty fluctuate
6 between 41 feet, 41.2 feet. Now, it's not important
7 to now whether it is really 41 or 41.2. We have a
8 three-foot difference, we have an elevation at 44 feet
9 and we have something down here that could be 41 feet
10 or 41.2 feet. Is that a difference of three feet or a
11 difference of 2.8 feet? That difference is not important
12 in determining general groundwater flow direction up
13 here (indicating).

14 Down here, where the water levels differ
15 by a hundredth of a foot or by a tenth of a foot, and
16 I know there is at least a two tenths of a foot uncertainty
17 in the measurements, I can't say that this measurement
18 is correct and that one is not. I mean, the error in
19 the measurement is greater than the gradient between
20 the wells. I don't know how to answer it any other way.

21 Q Let me ask you this, Dr. Guswa, so I can have a
22 complete understanding. These wells in the zone of
23 uncertainty, the reason they are uncertain is it because
24 you don't trust the water level measurements that were
25 done by Woodward-Clyde and Weston Geophysical, you don't

1 trust their water level measurements?

2 A No, that is not the answer.

3 Q You accept their water level measurements, don't you?

4 MR. FACHER: Objection.

5 THE COURT: No, that is not a sequential
6 question. I sustain the objection.

7 Q (by Mr. Schlichtmann) Do you accept the water level
8 measurements that were provided to you by Weston Geophysical,
9 do you accept them?

10 MR. FACHER: Objection.

11 THE COURT: Overruled.

12 A I accept the measurements and the associated
13 precision of those measurements from either party.

14 Q Right.

15 Now, are you aware as to whether there
16 are any water level discrepancies that one side says
17 the water levels are this way and the other side says
18 the water levels are the other way, are you aware there
19 is any such discrepancy?

20 A Yes, I am.

21 Q Which well are you aware of this discrepancy in it?

22 A There are several wells.

23 Q Name them.

24 A Just a minute, please.

25 Just as sort of background so everyone

1 understands, there were at least four surveys of
2 elevation that were done in this area. In addition to
3 those four surveys, there were corrections to the surveys,
4 and Woodward-Clyde hired a contractor to do land surveying
5 in this area as well as Weston Geophysical hired a surveyor
6 to do land surveying in that area. We received land
7 surveying elevations from both of those companies.

8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25
End E

BS/kr

1 There is a plaintiff's exhibit, TC-5, as a
2 matter of fact, where we made a comparison of land survey
3 elevations, I guess these are prepared by Weston, but we
4 have taken the time to look at the same Woodward-Clyde
5 elevations for the wells in which they correspond, as well
6 as the EPA elevations for corresponding measurements and,
7 for instance, Well W-410 is one well. Well 80 is another
8 well. And Well 78 are wells in which there are discrepancies
9 in the land survey elevation. Consequently, discrepancies
10 in whatever water level you calculate, depending on which
11 land or water elevation you use.

12 Q How many are there?

13 A S-92, --

14 Q That is an EPA well?

15 A S-92 may be an EPA well, but it's right here.

16 Q It's on the street?

17 A It's in the zone of uncertainty, Mr. Schlichtmann.

18 Q S-92 is in the zone of uncertainty?

19 A It contributes to the zone of uncertainty.

20 Q So you are not sure of the water levels at S-92?

21 MR. KEATING: Object.

22 MR. FACHER: Object. That is not the question.

23 THE COURT: I don't think you have answered
24 the question. Is that a well in which the discrepancies
25 have been measured?

1 THE WITNESS: There are discrepancies in the
2 reported elevations for the measurements.

3 THE COURT: You can't be sure about the
4 water level within this?

5 THE WITNESS: Within the level or precision of
6 the measurement.

7 THE COURT: Within the two-tenths of an inch?

8 THE WITNESS: In this case, it's 800 and 1/10th
9 of a foot.

10 THE COURT: One-tenth of a foot is 1.2 inches.

11 Q All right.

12 Now, the different in gradients between S-92
13 and the other wells is what?

14 A S-92, the elevation we have reported for this well is
15 40.9. I am trying to find the highest elevation in here.
16 In Well W-12 it's 41.49. So that is --

17 THE COURT: Foot and a half.

18 THE WITNESS: Six-tenths of a foot. Six
19 inches.

20 In between or within this zone of uncertainty
21 is a groundwater divide which separates the direction of
22 groundwater flow. To locate that divide, the location of
23 that divide is beyond the precision of the measurement.

24 THE COURT: Have you seen Mr. Koch's diagram of
25 where the divide is?

1 A No.

2 MR. SCHLICHTMANN: I have a picture of it.
3 We don't have the exhibit here.

4 THE COURT: Did you find any ridge or mound
5 of water under the river at the 42-foot level?

6 THE WITNESS: No.

7 THE COURT: No.

8 Q I want to show you a picture of Mr. Koch's exhibit.
9 I don't think we have the exhibit here. Mr. Koch's end of
10 pump test.

11 MR. FACHER: I don't have it.
12 We can get it if you like.

13 Q All right.

14 Here is a picture of it. Just so the jury,
15 then can remember it.

16 THE COURT: It's a contour line. A pair of
17 42-foot contour lines running up either side of the river.

18 MR. FACHER: Your Honor is talking about deep
19 wells. These are deep and the others were shallow. This is
20 a different exhibit.

21 THE COURT: Well, I am asking --

22 MR. FACHER: It won't be on that exhibit.

23 THE COURT: I am not suggesting it's on the
24 same exhibit. I am asking him if he found this mound of
25 water. He was talking about a divide.

1 MR. FACHER: I see.

2 THE COURT: Mr. Koch was talking about a divide.
3 Let's see if it's the same or different divide or if they both
4 exist or there is uncertainty about the whole shebang.

5 MR. FACHER: I object to that question.

6 Q Have you had an opportunity to study the picture?

7 A Yes.

8 Q In your opinion, sir, does that fairly and accurately
9 represent how you believe groundwater flowed in that area on
10 January 3rd, '86 at the end of the pump tests?

11 MR. FACHER: I don't think that is a fair ques-
12 tion, based on a Polaroid picture.

13 THE COURT: Can you understand the picture?

14 THE WITNESS: I understand the picture. I
15 understand it and now that I have overheard Mr. Facher talking
16 about these being the shallow wells, I have not contoured
17 shallow wells in the same manner that we have contoured the
18 deep wells that are opposite the pumping area. So I can't
19 say that this is wrong, but it is not where I would have put
20 a divide, based on what we have observed in the deeper zone of
21 the aquifer.

22 THE COURT: Do the shallow wells, in your view,
23 have any significance in terms of water flow to Wells G and H?

24 THE WITNESS: As I was showing on the cross-
25 section yesterday or the day before, one of the ways we could

1 substantiate water coming out of the river was the vertical
2 gradients measured in the shallow wells, middle wells and the
3 deeper wells. So they do indicate there is downward flow
4 of water from the shallow wells to the deep wells.

5 THE COURT: Do they have any significance
6 in terms of lateral flow?

7 MR. FACHER: I was going to object but you're
8 going to overrule it. The reason is I don't understand the
9 question.

10 THE COURT: You don't understand lateral flow?

11 MR. FACHER: I understand it means sideways.

12 THE COURT: Sideways.

13 THE WITNESS: They don't preclude lateral
14 flow.

15 THE COURT: Do they contribute to an under-
16 standing of lateral flow to groundwater?

17 THE WITNESS: Yes, they would.

18 THE COURT: In what respect?

19 THE WITNESS: We have three wells, or wells at
20 different density, three-dimensional representation and you
21 look at all of it together.

22 THE COURT: Did you consider the shallow
23 wells?

24 THE WITNESS: Yes, in our vertical cross-section.

25 THE COURT: Did you consider them in connection

1 with lateral flow?

2 THE WITNESS: Yes.

3 THE COURT: What significance do you attribute
4 to the data from the shallow wells with respect to lateral
5 flow?

6 THE WITNESS: That they support on the east
7 side of the river lateral flow directly to Wells G and H.
8 It's unclear what is exactly happening at Well S-92. But
9 I think, for my purposes in understanding the aquifer,
10 there is lateral flow from across the river, from the Beatrice
11 side of the river, if you will, the western side of the river.
12 So there is no barrier or wall of water under the river,
13 completely through the aquifer. At the top there may be, but
14 not at the bottom.

15 THE COURT: No barrier?

16 THE WITNESS: No.

17 THE COURT: So water could flow from the
18 Beatrice site under the river to the area of the well, as
19 far as you are concerned? That is one of the possibilities?

20 THE WITNESS: It's one of the possibilities,
21 yes, sir.

22 THE COURT: But you are not prepared to give
23 an opinion one way or the other?

24 THE WITNESS: The opinion that I have given is
25 that there is the surface where I would say the possibility

1 for lateral flow exists and the precision of the measurements
2 are such that I can't draw the divide. I can't indicate for
3 each point whether it's flowing south toward the Riley
4 pumping or east toward the G and H pumping.

5 THE COURT: All right. So you say any lateral
6 flow from the Riley area towards G and H would be at the
7 deep level of the aquifer rather than towards the surface?

8 THE WITNESS: That was where you would see the
9 greatest response. That is where we saw the greatest
10 response, and that is why we were interested in that
11 information.

12 THE COURT: All right.

13 Q Well, now, Doctor, you said there is a dispute about
14 water level measurements at W-14, is that right?

15 A It's not a dispute about the measurements as much as
16 two land surveyors surveyed it, as far as I know, two land
17 surveyors surveyed the same well and came up with
18 different numbers.

19 Q Well, whether or not they came up with different
20 numbers, let's say they came up with different numbers.

21 Did you put the values in for W-14 and see if
22 the gradient went from W-14 over to 92 to Wells G and H?
23 Did you do that?

24 MR. FACHER: Objection.

25 A No.

1 THE COURT: The objection is overruled.

2 He said no, he doesn't.

3 MR. FACHER: All right.

4 Q Do you happen to have the values for W-14?

5 All right.

6 MR. FACHER: White W-14?

7 THE COURT: There are three W-14.

8 MR. SCHLICHTMANN: All three of them.

9 THE COURT: All three. That is shallow,
10 middle and deep, is that what you mean?

11 MR. SCHLICHTMANN: That is what I mean.

12 THE COURT: All right.

13 MR. SCHLICHTMANN: I'd like to have the picture
14 marked as an exhibit.

15 THE COURT: No, no, no. We have the original
16 marked.

17 MR. SCHLICHTMANN: It's not here. He did look
18 at the picture. Could I have it marked as a chalk?

19 THE COURT: Mark it as a chalk. It's a duplica-
20 tion of the same stuff.

21 (Picture marked as chalk.)

22 THE COURT: I don't know how we will put all
23 this stuff into the jury room.

24 MR. SCHLICHTMANN: The question is whether
25 they want it.

1 A I will have to do some calculations. Do you want it
2 written on anything or do you want me to do it on a piece
3 of paper?

4 MR. SCHLICHTMANN: Probably do it on a board.

5 MR. FACHER: Do you have all the material?

6 THE COURT: Wait a minute. Wait a minute.

7 MR. FACHER: I'm sorry. The measurements, he
8 is asking for all the measurements.

9 THE COURT: The witness seems to be able to
10 understand the question. I don't think he needs any help.

11 THE WITNESS: Pardon my back for a minute.

12 MR. FACHER: Could I have him identify what
13 material he is using so I could know what to ask about or add
14 to when I examine him again?

15 MR. KEATING: Could you also let me know what
16 the question is to Dr. Guswa?

17 You are asking for well measurements at 14 by
18 whom?

19 THE COURT: All the alternate well measurements
20 and what effect they have if you choose the various alternates.
21 I gather that is the subject of the investigation.

22 MR. SCHLICHTMANN: Yes.

23 MR. KEATING: Thank you.

24 THE WITNESS: Is it all right if I move this
25 slightly?

F10

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

THE COURT: Sure. Move it. Throw it out.

THE WITNESS: It will take me a little bit to figure this out.

Q Let me ask, do you have the values all calculated?

A No, I don't.

Q Well, the ones we did yesterday, the ones we did on here, these here, you calculated those?

A That is correct.

Q All right.

Now, the material you used, you know what you used. That was from your folder, right?

A Yes.

Q These weren't values given to you by me. You gave me these values, is that correct?

A Yes.

Q You accepted those yesterday?

A Mr. Schlichtmann, I accepted them as I have accepted for the whole time I have been doing this investigation, with the very clear understanding on my part that there is a measurement point discrepancy between those water levels and, therefore, I will not try to be more precise than my interpretation than the data permits. If you want me to do the calculations, I will do them.

MR. SCHLICHTMANN: Please. Excuse me.

End F

G1
MC:ls

1 A (Witness placing calculations on the diagram.)

2 There are four wells in the cluster. This
3 column represents measured point elevations determined
4 by the contractors, these two companies hired.

5 I'm sorry, these are the ground elevations
6 that were surveyed. (Writing on the diagram.)

7 In addition to the ground, there was a
8 determination made of the top of the steel casing.
9 (Writing on the diagram.)

10 In addition to the top of the steel
11 casing, there was the top of the PVC casing. (Writing
12 on the diagram.)

13 Q Are you done with W14?

14 A I am just checking to make sure. I think I am,
15 but just wait one minute.

16 (Pause.)

17 Okay, I guess we are ready to try.

18 Q All right, Dr. Guswa, if you would over here --
19 now, over here on this chalk, which we will mark as
20 P-903.

21 A 4.

22 Q 904. You put down the measuring points done by the
23 surveyors for Weston and surveyors for Woodward-Clyde?

24 A That is right.

25 Q Now, to be able to determine what water level

1 elevation was on January 3rd, 1976, you would have to
2 subtract from these measuring points?

3 A That is right.

4 Q You have to know which measure, the top of the
5 PVC, the plastic pipe, or whether the person out in the
6 field was measuring from the top of the steel, a mark
7 on the steel pipe itself?

8 A That's right.

9 Q And you have to subtract it from these measuring
10 points?

11 A That's right.

12 Q And you determined that there are different measuring
13 points that were given for the l4 cluster?

14 A Yes.

15 Q Now, would you put here -- would you put a little
16 thing here for Weston and a thing here for Woodward-Clyde
17 (indicating)?

18 A Okay. (Witness marking on the board.)

19 Q Now, why don't you put the well over here (indicating)?

20 A You mean like a schematic of the well?

21 Q OW14 and CW14, and SW14.

22 A All right. (Marking the board.)

23 Q Leave some room for some calculations, a little
24 arithmetic.

25 A All right.

1 THE COURT: OW is the shallow one?

2 MR. SCHLICHTMANN: It is called observation
3 well.

4 THE WITNESS: The observation well is
5 shallower than the shallow well.

6 THE COURT: OW means a very shallow well?
7 CW means?

8 MR. SCHLICHTMANN: You've got me.
9 Just as lettered.

10 THE WITNESS: There was a cobblestone,
11 so the C was in for the cobblestone, that is why it had
12 the CW.

13 THE COURT: That is deep.

14 MR. SCHLICHTMANN: And W is the deeper.

15 THE WITNESS: B is the bedrock well.

16 THE COURT: So C is the medium one?

17 THE WITNESS: C is the one that is about
18 80 feet below -- opposite the screened interval of the
19 pumping well, about 80 feet below the surface. And
20 W is deeper than that. W is in the bedrock, deeper
21 than that.

22 THE COURT: How deep is that?

23 THE WITNESS: I have depth to -- bottom
24 of the well is 85 feet for W14, CW14 is 63 feet, SW14
25 is 30 feet or 29 feet, and OW14 is 14 feet.

1 THE COURT: Okay.

2 Q (by Mr. Schlichtmann) Now, to find out what the
3 water level measurements or how these measuring points
4 will affect the water level measurements, you first have
5 to have the actual water level measurements that were
6 taken on that day. For OW14, there is no dispute about
7 that sheet, one person took it and it is on the sheet,
8 is that right, there are not two different sheets here?

9 A Now, you tricked me yesterday.

10 Q I tricked you yesterday?

11 A Yes. There was an update on the measuring points
12 for the geological surveyor data loggers, that hundredth
13 of a foot I was right.

14
15 End G
16 CM:ls
17
18
19
20
21
22
23
24
25

BS/kr

1 Q How much would the W-14, OW-14, would that be this sheet
2 here?

3 A Okay.

4 Q I don't want to trick you.

5 A Right. I don't want you to, either.

6 Q Look in your file and see if it doesn't comport with
7 my sheet.

8 A Staring me right in the face.

9 Q All right.

10 Isn't that it there?

11 A But there are three different days.

12 Q All right.

13 A Here we go.

14 Q Are they the same?

15 A Yes.

16 Q We agree?

17 A Yes, sir.

18 Q Only one sheet. Okay. Water level measurement at
19 10:30 was 6.19?

20 A 6.19, that is correct.

21 Q Okay.

22 What we do is take the measuring points.

23 The other most important thing is to make sure what was
24 the measuring level, the pbc or top of the steel. No dispute
25 top of the casing is circled. TOC, top of casing, do you agree?

1 A Yes.

2 Q OW-14. Put the measuring point for Weston at top of
3 the steel, which is 47.52.

4 A Okay. 47.52.

5 Q And when you subtract 6.19 from 47.52, you come to 41.33,
6 am I right?

7 A That is right.

8 Q Put the answer down. 41.33.

9 Now, if you use --

10 A I will circle this so we don't get confused. That is
11 the measuring point.

12 Q All right.

13 If you use Woodward-Clyde's report of 47.57
14 and you make a subtraction of the water level at 86.1, what
15 do you come to?

16 A 41.38.

17 Q And, as a matter of fact, that was reported by Mr. Koch,
18 the water level he used, 41.38. Are you aware of that?

19 A I wasn't aware of it.

20 Q We agree those are the differences (Indicating)?

21 A Yes.

22 Q Now, SW-14, if you use copper steel measurement by
23 Weston, 47.30 and as you subtract on January 3rd, the number
24 is 6.11, am I right? We agree on the sheet? No dispute
25 here?

1 A Yes, that is all right.

2 Q Okay.

3 That was a measurement taken by Weston
4 Geophysical?

5 A That is correct.

6 Q 6.11. So 47.30 and subtract 6.11. What is SW-14?

7 A 47.30. I will put the number. There are too many
8 numbers in the column. I will put this here. 6.11, right.

9 Q Yes.

10 A That becomes 41.19.

11 Q In fact, that was the figure we used yesterday?

12 A Yes.

13 Q If we use Woodward-Clyde's measuring points of
14 47.40 and subtract 6.11?

15 A 47.29.

16 Q 47.29 -- 41.29?

17 A I knew I was going to break sooner or later.

18 Q CW-14. Use Weston Geophysical 47.07 and on that
19 day, January 3rd, my sheet from Weston Geophysical is
20 5.86, taken at 10:25. Do you agree on that?

21 A Yes.

22 Q Same sheet?

23 A Yes.

24 Q All right.

25 Now, 47.07, subtract 5.86.

- 1 A Wait a minute. 46.07.
- 2 Q Subtract.
- 3 A 5.86. 41.21.
- 4 Q Exactly.
- 5 Now, if we use Woodward-Clyde's measuring
6 points 47.17?
- 7 A Minus.
- 8 Q 5.86?
- 9 A 41.831
- 10 Q 41.31?
- 11 A Yes.
- 12 Q All right.
- 13 And the deep well is in bedrock. But we
14 might as well do that, too. OW -- Excuse me, W. And the
15 measuring point for W is 46.75, Weston's surveyor.
- 16 A Excuse me?
- 17 Q This sheet right here, January 3rd, 10:30.
- 18 A Yes.
- 19 Q Taken by Weston, 5.53.
- 20 A Okay. Top of casing. 46.75. I'm sorry. Top of
21 steel, top of steel. 46.77 for the top of the steel.
22 Yes.
- 23 Q Right. 46.77. Subtract 5.53, which is the measurement.
- 24 A 41.24.
- 25 Q Yes.

1 And if we use Woodward-Clyde's survey, it's
2 46.85, and we subtract 5.53?

3 A 41.32.

4 Q Those are the values. Those are the differences in
5 the values, is that right?

6 A Yes.

7 Q All right.

8 Now, look at the cross-section. We plug
9 in the numbers. Using the survey done by Weston, we get
10 41.33 shallow, 41.19 medium and 41.21 deep?

11 A Yes.

12 Q No question that the head is higher here than it is here,
13 is that true?

14 A That is correct.

15 Q And the flow, as you have drawn it, is in this
16 direction?

17 A That is correct.

18 Q Now, let's put Woodward-Clyde's values there.

19 A Yes.

20 Q 41.38. And then 41.29. Let's get rid of this here.

21 A Yes.

22 Q And 41.31?

23 A Yes.

24 Q Now, when you compare the head here, using Woodward-
25 Clyde figures, the arrows still go in this direction, is that

1 right?

2 A No.

3 MR. FACHER: Objection.

4 Q They don't. The head is not higher than it is here?

5 A The head is higher than either direction.

6 Q But is the head higher here than here?

7 MR. FACHER: Objection, your Honor.

8 THE COURT: Overruled.

9 A Except for this well?

10 Q Yes.

11 A Yes.

12 Q It's higher?

13 A Yes.

14 MR. FACHER: Objection.

15 THE COURT: Except for what well? Comparing
16 two different wells?

17 Q S-92, but that is the same, whether you use Weston or
18 Woodward. S-92, which is measuring the recharge into the
19 river from the aquifer, is always higher than these other
20 numbers, isn't that right?

21 A Yes.

22 Q So no matter which figures you use, the head at 14 is
23 still higher than 92, is that right?

24 MR. FACHER: Objection.

25 Q Am I right about it?

1 THE COURT: The objection is overruled.

2 Supposing you used Woodward-Clyde's for
3 one well and Weston's for the other.

4 THE WITNESS: Your Honor, I think this
5 highlights the problem here. If we use the wells, if you
6 use the water levels based on the Weston measurements, we
7 sort of get a general indication of a gradient, component
8 of gradient toward S-92.

9 If you use the Woodward-Clyde, it looks
10 like -- and this is only a two-dimensional representation --
11 it looks like 14 splits the difference and half is going
12 toward 13 and half 92. And that is the whole
13 problem I have been trying to talk about, is you can't
14 make that designation because of this uncertainty and
15 the lack of gradient within the area.

16 THE COURT: Let's get in the alternatives.

17 You compared Weston and Weston and Clyde
18 with Woodward-Clyde, but, given the fact you don't know who
19 is right, you ought to compare Weston and Woodward-Clyde
20 and then the other way around, Woodward-Clyde and Weston,
21 and you will have all the possible alternatives and know
22 exactly the degree of uncertainty that we are facing.

23 Can you do that?

24 MR. FACHER: I would object, unless you
25 use all the wells, because S-92 shallow is not on there and

1 that is a different figure. That is still a different
2 figure.

3 THE COURT: Where is S-92?

4 MR. SCHLICHTMANN: It is --

5 MR. FACHER: Not the shallow figure.

6 MR. SCHLICHTMANN: 42.48.

7 THE COURT: I thought we just used it.

8 MR. FACHER: I'm sorry. I misread it.

9 THE COURT: Let's not stop there. We have gone
10 this far. Let's figure first A is right and B is wrong and
11 then B is right and A is wrong and see where we come out.
12 Let's get the full permutation here. Can you do that,
13 Dr. Guswa?

14 THE WITNESS: I will do whatever you ask me to
15 do.

16 THE COURT: Can you do what I have just
17 asked you to do? Is it possible?

18 THE WITNESS: I think we have done --

19 THE COURT: You have compared the same set of
20 figures. You have compared Weston with Weston and Woodward
21 and Clyde with Woodward and Clyde?

22 THE WITNESS: No. I'm sorry.

23 THE COURT: Now I ask you to split it.

24 THE WITNESS: Which ones are they that we did
25 yesterday?

1 MR. SCHLICHTMANN: Weston Geophysical.

2 THE WITNESS: So I will put all the Woodward-
3 Clyde's onto the others. That is what you are asking, right?

4 THE COURT: As I understand what you have now
5 done is draw conclusions assuming Weston's figures are
6 right on both -- on all the wells -- another set of
7 conclusions, assuming that Woodward and Clyde's figures are
8 the correct ones on all the wells.

9 Now, I want you to make another set of assump-
10 tions, based upon the fact that Woodward and Clyde is right
11 some of the time and Weston is right some of the time,
12 which is equally, it seems to me, an equally open
13 assumption.

14 THE WITNESS: Yes.

15 THE COURT: Because we don't know who is right,
16 do we?

17 THE WITNESS: No. And the fundamental dis-
18 crepancy is in the reported land survey elevation.

19 THE COURT: That is what I understand. Let's
20 see what happens when you mix them up.

21 Q He wants to know the other wells to do it.

22 Let's get 13.

23 THE COURT: Can't you do it for the wells you
24 have got there? You have two sets of figures for each
25 well.

1 THE WITNESS: Two for one well and one
2 set of figures for all the other wells. We don't have the
3 Woodward-Clyde figures for 13 and 92.

4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25
End H

I1
MC:ls

1 Q Have you seen that document before?

2 THE COURT: Are the Woodward-Clyde figures,
3 the 13 and 92, different from the Weston figures?

4 THE WITNESS: I will let you know.

5 MR. SCHLICHTMANN: For this hundredth
6 of a foot.

7 THE COURT: I misunderstood you. I thought
8 you found discrepancies in several of these wells.

9 THE WITNESS: There are several wells.
10 It may not be Well 13. There are a whole bunch of wells
11 in the area.

12 THE COURT: I see. I thought you were
13 getting double figures on all of these.

14 THE WITNESS: Not all of these.

15 THE COURT: Perhaps I should come down
16 and look at the diagram.

17 MR. FACHER: I don't know if it will
18 help.

19 Q (by Mr. Schlichtmann) Weren't you provided by
20 Beatrice Foods a water level data at the end of the
21 pump test for January 3rd? You were not provided that
22 document?

23 A I will show you what I have. I won't say it doesn't
24 mean we weren't provided with that information.

25 THE COURT: Well, if you don't have the

I2

1 data that I asked for, I won't press the matter.

2 MR. SCHLICHTMANN: I don't think there is
3 any dispute between me and Mr. Facher. I have been
4 provided a document which has the numbers on it. Mr. Facher
5 can tell Dr. Guswa if that is the document I received.

6 MR. FACHER: That is the letter you
7 received yesterday when you said you didn't know where
8 the data comes from. You did know where it came from.
9 I have no objection.

10 MR. SCHLICHTMANN: I didn't say that.

11 MR. FACHER: You said yesterday you had
12 no idea where it came from.

13 THE COURT: Never mind, we agree on it
14 now.

15 MR. FACHER: We know where it came from.

16 MR. SCHLICHTMANN: Where the letter came
17 from.

18 A All right. So we just plot these water elevations?

19 Q Yes, for 13.

20 What does Woodward-Clyde data indicate
21 were the water level measurements for Cluster 13?

22 A All right.

23 Q On January 3rd.

24 A (Witness writing on the diagram.)

25 MR. FACHER: It is actually Geraghty &

1 Miller data.

2 THE WITNESS: Yes, it is, Geraghty &
3 Miller.

4 THE COURT: Geraghty & Miller, Woodward-
5 Clyde, I don't care, get the figures out.

6 Q (by Mr. Schlichtmann) Now, would you read to the
7 jury what the values were that Geraghty & Miller
8 determined?

9 A 41.4 feet.

10 Q What did Weston Geophysical determine?

11 A 41.4 feet.

12 Q The top of the next well?

13 A 41.20 versus 41.20. 41.24 versus 41.24.

14 Q There is no dispute?

15 THE COURT: There is no difference?

16 THE WITNESS: No difference there.

17 THE COURT: So my question has no bearing.
18 It is not useful in this context. Let's get on to
19 something else.

20 MR. FACHER: That is for 13.

21 Q (by Mr. Schlichtmann) Now, Dr. Guswa, I show you
22 a diagram with an overlay that was done by Mr. Koch
23 in which he used the values which you put down for
24 Woodward-Clyde.

25 THE COURT: Geraghty & Miller, didn't

1 we just learn it was Geraghty & Miller and not Woodward-
2 Clyde?

3 THE WITNESS: Geraghty & Miller.

4 Q Here are the values down here and here are the
5 values which you put down for Geraghty & Miller, GM.
6 Make a GM.

7 A Oh, yes.

8 MR. FACHER: Some were and some weren't,
9 to be accurate.

10 Q Now, Dr. Guswa, in your talk yesterday you mentioned
11 the word "triangle."

12 You recognize in your profession, do you
13 not, it is an acceptable practice in your profession in
14 determining local flow to make triangles between three
15 well points, that is an acceptable procedure that you
16 in your profession use?

17 A I never use it myself. I know other people do it.

18 MR. FACHER: Objection.

19 Q You have never done it yourself?

20 A I never have.

21 Q Is it an acceptable procedure in your profession?

22 A For some people, yes.

23 THE COURT: You have to say it loud
24 enough so they stop.

25 MR. FACHER: They are having their own

1 conversation.

2 THE COURT: By the time I get around
3 to ruling, it doesn't make much difference anymore, so
4 shout it out good and loud.

5 What was the question, Mr. Schlichtmann,
6 please?

7 MR. SCHLICHTMANN: The question is: You
8 are familiar with Freeze and Cherry and their book on
9 groundwater?

10 A Yes.

11 Q You are familiar that in their book on groundwater
12 they certainly talk about the fact you can take three
13 well points to draw a triangle to determine local
14 groundwater flow?

15 MR. FACHER: Objection.

16 THE COURT: Overruled.

17 MR. FACHER: The question is they talk
18 about it in the book?

19 THE COURT: They talk about it in the
20 book, yes. I suppose some other question will have to
21 flow from that.

22 A The principle assumes that when --

23 THE COURT: There is no question, as I
24 understand it, right now.

25 MR. SCHLICHTMANN: There is. Is he

1 familiar with it?

2 THE COURT: Is he familiar with the fact
3 that they talk about it in the book? He says yes. Now
4 we have to have another question beyond that before any
5 of this makes any sense.

6 Q (by Mr. Schlichtmann) And in the book is it talked
7 about as an acceptable procedure, the fact they talk about
8 it in terms of this is an acceptable procedure in
9 determining groundwater flow
10 by using three well points, don't they?

11 MR. FACHER: Objection.

12 THE COURT: It is not in compliance with
13 the rule, and the objection is sustained.

14 Q (by Mr. Schlichtmann) Do people in your profession
15 use that technique, sir?

16 A Yes.

17 Q And have you ever seen a book, any journal in your
18 profession that says this is an improper technique, it
19 is not acceptable?

20 MR. FACHER: Objection.

21 THE COURT: Overruled.

22 A I never saw anything that said it is not a proper
23 technique.

24 Q Now, you are familiar with the technique?

25 A Oh, yes.

1 Q In fact, yesterday you were doing it in front of
2 the jury, weren't you?

3 A Yes.

4 THE COURT: Not voluntarily.

5 Q Take the values for W14. I show you a diagram that
6 was done by Mr. Koch, and he put the values in -- you
7 have put down there for Geraghty & Miller W14, and you
8 used the values at 95, these values here, and I asked
9 him to draw a triangle between 93 and 14 and 95.
10 Do you see that?

11 A Yes.

12 Q Now, I want you to examine the triangle, examine
13 the values, and I want to ask you if the drawing of those
14 flow lines based on those values in that triangle is
15 proper and acceptable?

16 MR. FACHER: Objection, your Honor.

17 THE COURT: Overruled.

18 A This is exactly why the triangle is not appropriate.
19 You have a river running right down through the triangle.
20 The fundamental assumption, when you draw the triangles,
21 is that you are approximating the water table surface
22 or water level surface, a series of plane -- are tri-
23 surfaces that intersect each other. It assumes the
24 properties within the wells are uniform, and it assumes
25 there is no water flowing out or in within the triangle.

1 This is the wrong place to draw triangles.

2 Q This is the wrong place to draw a triangle?

3 A Yes, sir.

4 Q Well, suppose we just stop at the river, suppose
5 we say, oh, let's go to 92, let's not go across that
6 river, how would you draw the triangle then?

7 MR. FACHER: Objection.

8 A I will draw it whatever way you would tell me to
9 draw it. I wouldn't draw it myself.

10 THE COURT: You wouldn't draw any
11 triangle?

12 THE WITNESS: I wouldn't.

13 Q (by Mr. Schlichtmann) You wouldn't go near a
14 triangle. You can't tell us whether that is acceptable
15 or not?

16 MR. FACHER: Objection.

17 THE COURT: Overruled.

18 A Mr. Schlichtmann, if you only have three points
19 to make a determination on water levels, you use the
20 three points as best you can. When you have 20 points,
21 you look at 20 points. If you have two points, you
22 use the two points. What makes it acceptable or not
23 acceptable is whether or not the fundamental underlying
24 assumptions are valid or invalid.

25 Q All right.

1 Now, I'm asking you --

2 A Sometimes we have to apply it when it is not valid
3 because we have no other choice.

4 Q If you use Geraghty & Miller's values or Weston
5 Geophysical's values at W14 and at 92 and at 95, would
6 this be, if you just had those three points, you had
7 nothing else, all you could work with was these three
8 points, is that arrow directly, is that directly drawn
9 above groundwater flow between those two points, is it
10 or is it not?

11 MR. FACHER: Objection.

12 THE COURT: Sustained.

13 Q Sir, did you make any attempt to take all of the
14 well water level measurements on the Beatrice site, on
15 the Beatrice property, did you make any attempt at all
16 to draw triangles or to make any other attempt using
17 any other tool of your profession to try to determine
18 the local groundwater flow on that site or you made
19 no such determination?

20 A The determination I made and the precision of that
21 determination is this water table map here. You have
22 just seen for the last hour or hour and a half we have
23 been frustrated over the measuring point of the elevations.
24 We were not hired to look at the Beatrice site. We
25 were hired to look at W. R. Grace. I have so many

1 people that work for me. I have to direct our efforts
2 at our problem. Beatrice has to take care of their
3 problem. You have to take care of your problem.

4 Q Okay, you can return to the stand and see if I
5 can't take care of my problem.

6 P-905.

7 A (Witness complied.)

8 Q Now, Dr. Guswa, you were asked several questions
9 about the role of the river in this aquifer?

10 A Yes.

11 Q And do you agree that -- You've studied the under-
12 lying geology of that area, is that right?

13 A Yes.

14 Q And you'll agree that the river is underlain with
15 swamp deposits, that's in your diagram?

16 A That's correct.

17 Q As you go deeper down into the aquifer, you go
18 from swamp deposits, which is decayed organic matter,
19 and then silt and sand, and then, as you go deeper,
20 it gets into more gravelly sand, then you get into more
21 gravel and cobbles, as you go down deeper into the
22 aquifer?

23 A The progression would be from the swamp deposits
24 to a silty sand, not silt itself, but to a silty sand
25 to coarser material.

1 Q Coarser sand, coarser gravel?

2 A Yes.

3 Q And permeabilities did differ horizontally as well
4 as vertically, is that right?

5 A That's correct.

6 Q And permeabilities did differ in glacial deposits
7 for one reason in that when glacial deposits are deposited,
8 they tend to be deposited pretty much in layers, and they
9 are in water and so they tend to fall down on their flat
10 sides so that it is easier for the water to move
11 horizontally, that is one reason, than it is to move
12 vertically; is that right, you have this kind of a
13 layering effect?

14 A The ground moraine deposits that are ice deposits
15 would not have that restriction on it.

16 Stream deposits, where there is a
17 preferential sorting, would tend to be a layer deposit,
18 and there would be a contrast between the vertical and
19 horizontal permeability.

20 Q There are two reasons, one is the physical reason,
21 the little particles that tend to fall down, tend to
22 fall on their flat side, that is one layer horizontally.

23 A That is correct.

24 Q The other is it is easier to go through one layer
25 which has one conductivity than it is to go up through

1 many layers which have different conductivities?

2 A Well --

3 Q Or down?

4 A I think you used two ways to describe the same
5 physical thing. It is easier to move horizontal than it
6 is to move vertical.

7 Q And that is something that you often see, that is
8 what you experience in the field when you examine
9 aquifers like this one?

10 A Yes.

11 Q And we have a diagram of the aquifer around G and
12 92?

13 MR. KEATING: Is that one of ours?

14 MR. SCHLICHTMANN: Yes. The one you
15 used yesterday.

16 MR. KEATING: This one?

17 MR. SCHLICHTMANN: That is it.

18 THE COURT: That one is known as sunshine.

19 MR. SCHLICHTMANN: Right, sunshine.

20 THE COURT: As opposed to blue Mediterranean.

21 MR. SCHLICHTMANN: They are both sunny.

22 Q Now, on this cross section of the aquifer, you
23 actually have two different layers that you put here
24 graphically?

25 A Three.

1 Q Three, excuse me.

2 Each layer has a different permeability
3 than the other one?

4 A That's correct.

5 Q This permeability is higher, meaning water can move
6 faster and easier through this one than this one
7 (indicating)?

8 A Yes.

9 Q And water can move faster and easier through this
10 one than this one (indicating)?

11 A I don't think so.

12 Q You think they are about the same?

13 A Close.

14 Q Did you say it is a hydraulic conductivity factor?

15 A Yes.

16 Q What is that?

17 A 8.3 feet per day.

18 Q This one (indicating)?

19 A 18 feet per day.

20 Q Hydraulic conductivity is 18 feet per day and this
21 one 8 feet?

22 A Yes.

23 End I
24 MC:ls
25

BS/kr

1 Q And this one here?

2 A Hundred and 13.

3 Q That is a difference, isn't it, between your opinion about
4 hydrologic conductivity, and the ability of water to move
5 through this and this layer?

6 A Yes.

7 Q Now, it is easier for water to move out this way
8 than it is for water to move down this way in this part of
9 the aquifer. You agree with that?

10 A Yes.

11 THE COURT: Is that a yes answer?

12 THE WITNESS: That was yes.

13 THE COURT: All right.

14 Q And when you look at your flow lines, they're very
15 interesting because they demonstrate a convincing principle,
16 and tell me if I am wrong --

17 MR. FACHER: Your Honor, please.

18 THE COURT: Just the question, Mr. Schlichtmann,
19 please.

20 Q All right.

21 The arrows in the lower part of the aquifer
22 are coming out toward the jury, aren't they?

23 A Yes.

24 Q And the arrows in the middle part of the layer are
25 right here coming out towards the jury?

1 A Yes.

2 Q And it's only over here that you have chosen to place
3 an arrow which tends to go in the direction of the river,
4 is that right?

5 A Yes.

6 Q All right.

7 Now --

8 MR. FACHER: We can't hear you, sir.

9 THE WITNESS: Yes, that is the only arrow that
10 shows up to the river, yes.

11 Q Correct me if I am wrong, but the reason there is
12 a difference is that the arrows are an indication of
13 different properties that are taking place in the aquifer
14 at different layers?

15 A The arrows represent my interpretation of the ground-
16 water flow directions on a gross scale and that flow direction
17 results from all combinations of properties in the
18 aquifer.

19 Q And one of them is the hydraulic connection between the
20 stream with the aquifer. That is one property?

21 A That is correct.

22 Q And that property is one which is going to affect how
23 the aquifer relates to the stream?

24 A Yes.

25 Q And the other properties are the transmissivity test or

1 the permeable test of the different layers?

2 A Yes.

3 Q As well as the depth of the material that this water
4 is moving through? That is another property?

5 A Yes.

6 Q And the stream has a certain depth to it?

7 A Yes.

8 Q And the depth of the stream is another property which
9 is going to affect how it interrelates with the aquifer, is
10 that right?

11 A Yes.

12 Q Now, when a well pumps, all right? Under normal
13 conditions we have no well. And the groundwater is going
14 to move this way, as you have demonstrated, and up here on the
15 top layer near the stream will be actually discharging to
16 the river?

17 A Yes.

18 Q And the stream is going to, from this part of the
19 aquifer, that is providing water to it?

20 A Yes.

21 Q Giving water to it?

22 A Yes.

23 Q It's gaining?

24 A Yes.

25 Q And this is a discharge point, am I correct?

1 A Yes.

2 Q Now, when you turn on the well, say, over here,
3 what the well does is it draws water from the aquifer?

4 A That is correct.

5 Q And it becomes a discharge point, water is discharging
6 out of the well?

7 A Yes.

8 Q Now the river is a discharge point?

9 A Yes.

10 Q And the well is a discharge point?

11 A Yes.

12 Q Now, when the well pumps and it has a certain demand,
13 it wants so much water, it's going to get that water from
14 the aquifer, right? That is where it will go to for its
15 water?

16 A That is the first place.

17 Q First place it looks right in the aquifer?

18 A That is right.

19 Q Now, this well is down deep, G?

20 A Yes, right there.

21 Q Yes. That is deep into the aquifer?

22 A Yes.

23 Q How many feet down?

24 A Eighty feet, about.

25 Q What is it to bedrock in that area?

ere,
aquifer?

about a

is discharging

down pretty far

nis material here.

is the one that has the

because this is the easiest to get

Judgment to go down deep to get the

the well pumps, as I think you testified to, it

ng water from the bottom of the aquifer. That
ere it's first asking for water to come?
First asking water to come from right opposi

nt?
it has a certain demand,
ng to get that water from
where it will go to for its

right in the aquifer?

own deep, G?

leep into the aquifer?

down?

about.

bedrock in that area?

Q

24

1.

A

25

1 interval of the well. So it's the middle. Wherever it is.

2 Q Middle. Now, you know from your experience that a well
3 which demands water can receive water from an aquifer at
4 the very same time -- this can occur -- at the very same
5 time that an aquifer can still provide the water to a river?

6 A Yes, sir.

7 Q That can happen. Both things can occur together?

8 A Yes, sir.

9 Q This can still get water and this can still get
10 water?

11 A Yes.

12 Q And there will come a time, there can come a time when
13 a well demands so much water that it will not only be taking
14 water from the highly transmissive zone, but it will also
15 be looking elsewhere for water or the pressure from its
16 demand will actually be forcing water to come from less
17 permeable areas of the aquifer, as well. Am I right about
18 that?

19 A Yes.

20 Q Now, when a well pumps it has a cone of depression, am
21 I right?

22 A Yes.

23 Q Now, a cone of depression, if it goes through a highly
24 impermeable zone, is going to make that cone of depression
25 really steep, compared to a cone of depression which is in

1 a more transmissive zone in which case the cone of depression
2 is going to be much flatter and go over a much wider area, and
3 I right about that?

4 A That is correct.

5 Q That is a basic principle on your side?

6 A Yes.

7 Q When Well G pumped its cone of depression went out
8 over a fairly wide area, large area, would you agree?

9 MR. FACHER: Objection. I don't think
10 there was data -- no foundaton on Well G pumping alone.

11 THE COURT: Well G pumping alone.

12 MR. FACHER: That was the question.

13 THE COURT: Well, do you have an opinion
14 about the character of the zone of depression, what the
15 character of the cone of depression would be if Well G were
16 pumping?

17 THE WITNESS: Alone. Less than when Wells G
18 and H were pumping.

19 Q Would it be a wide-spread cone or a steep cone?

20 A General response would be the same, whether G is pumping --

21 Q Generally a wide-spread cone, flat, rather than steep?

22 A It would be wide-spread on one side and steep on the other.

23 THE COURT: All right.

24 Q Well, it certainly is going to be wide during the,
25 in the trasmissive, the highly transmissive zone, isn't it?

1 THE COURT: Is the reason it's steep on the
2 other is you run into a different kind of material?

3 THE WITNESS: You run into the low permeability
4 material.

5 Q As you move in which direction?

6 A East toward the Cryovac Plant.

7 THE COURT: You come into this moraine-
8 filled material?

9 THE WITNESS: Yes, sir.

10 Q So you tend to see a steeper cone on the Grace side of the
11 well and a more flattened cone on the Beatrice side of the
12 well?

13 A That is correct.

14 Q And in your profession you have encountered many, many
15 examples of a well pumping in a highly transmissive zone near
16 a river in which the cone of depression has extended beyond
17 the river?

18 A Yes.

19 Q That happens?

20 A Yes.

21 Q And the way for you to determine whether in fact it
22 happens over here on the other side of the river is to watch
23 whether the water levels drop in wells, in the monitoring
24 wells on that side of the river. That is one of the things
25 you look at.

1 MR. FACHER: Objection.

2 THE COURT: Overruled.

3 A You look at the drop and pattern of the drop.

4 Q And the pattern of the drop. And is it not also true
5 this can occur, that is, that the well, when it's looking
6 for water, could satisfy itself in the highly transmissive
7 zone, could satisfy itself for its demand, without actually
8 forcing water from a river through the more or, excuse me,
9 the less permeable areas? That can happen, can't it?

10 MR. KEATING: I object. Are we talking a
11 theoretical situation?

12 MR. SCHLICHTMANN: Theoretical situation.

13 MR. KEATING: Oh.

14 Q It can happen?

15 MR. FACHER: Wait a minute.

16 THE COURT: I thought it was withdrawn.

17 MR. SCHLICHTMANN: No. I thought the objection
18 was withdrawn.

19 MR. KEATING: As long as it's just theoretical
20 representation.

21 THE COURT: That is what I understood.

22 MR. FACHER: I'm sorry. Proceed.

23 A If a cone of depression spreads beneath a river,
24 regardless of the permeability, if the elevation of the
25 river is greater than the elevation, the water level

1 elevation in the aquifer, there will be a component of
2 downward flow. The rate at which water moves into the
3 ground in response to that cone of depression is a function
4 of the permeability of the material. Nothing is completely
5 impermeable. The general characterization of getting no
6 water from the river is not correct. However, under some
7 conditions, extreme conditions, if there is extremely low
8 permeability material here, a little water would come out of
9 the river.

10 Q That can occur?

11 A Yes.

12 THE COURT: I'm sorry?

13 MR. SCHLICHTMANN: That can occur.

14 THE COURT: That can occur.

15 Q And you stated, and correct me if I am wrong, that a
16 gradient, the more steep the gradient is, is an indication to
17 you as a hydrogeologist that water is having a harder time
18 going through a medium, a porous medium, than comparatively
19 elsewhere and that is why the gradient may be higher at
20 one place and lower in other parts of the aquifer?

21 A Yes.

22 Q That is what you used to explain the Grace site?

23 A Yes.

24 Q On the Grace site the gradients are quite steep?

25 A Yes.

1 Q That is an indication of the water having a harder
2 time getting through this material?

3 A Yes.

4 Q In relationship to the other parts?

5 A It's an indication of the energy required
6 to get the water through.

7 Q Don't we see the very same thing in the measurements
8 at S-92 when we compare the values at the shallow well with
9 the values deeper down in the aquifer? Don't we see a
10 difference?

11 A Yes.

12 Q And isn't that difference an indication that the
13 water, the gradient is greater up above than it is down
14 here?

15 A Yes.

16 Q And that is an indication to you as a scientist, as a
17 hydrogeologist, that the water is having a little harder
18 time getting down than it is in this area?

19 A Yes.

20 MR. SCHLICHTMANN: We can have a break now.

21 THE COURT: All right. We will take the
22 morning recess.

23 (Recess.)

24 End J

25

1 MR. KEATING: I think it's one of the pages
2 from what is not in evidence.

3 THE COURT: That is excluded. Out.

4 Q Did the investigators for E and E come to any
5 conclusions about the groundwater flow in a particular area
6 of the study area?

7 MR. KEATING: I object, your Honor.

8 THE COURT: Sustained.

9 Q Did you use any information obtained by E and E
10 concerning groundwater flow in the East Woburn area? Did
11 you use any of that information in forming your opinions?

12 A Well, when I first started that was the water table
13 information that was in the report.

14 Q You used that?

15 A In a general sense, yes.

16 Q You analyzed it?

17 A Yes.

18 Q And you came to the conclusion that the groundwater
19 flow as shown in that area by the E and E, by EPA, was correct,
20 in your opinion, based on what you knew about the area?

21 A The water table map they had in their report has an
22 implicit five-foot elevation area in it because it was based
23 on topographic maps with 10-foot contour spacing and the
24 elevations of the measurement points are estimated from the
25 topographic map. There is a five-foot, generally, five-foot

1 discrepancy in it. The general pattern is what I would
2 expect.

3 Q The general pattern you saw in that report is what
4 you would expect?

5 A That is right.

6 Q And that report was based on the work done by the
7 USGS?

8 A Not that.

9 Q They used their topographic map?

10 A They did that, use that map, yes.

11 Q In forming their conclusions about how the groundwater
12 flowed?

13 A Yes.

14 Q Now, is there anything about that groundwater flow
15 pattern that they concluded with which you disagree?

16 MR. KEATING: Object.

17 MR. FACHER: Object.

18 THE COURT: Sustained.

19 Q Did you use that information in forming your opinion?

20 A I --

21 MR. KEATING: I object. What information
22 are we talking about?

23 MR. SCHLICHTMANN: The groundwater flow
24 pattern in East Central Woburn.

25 MR. KEATING: I still object.

1 THE COURT: Sustained.

2 MR. SCHLICHTMANN: You may sit down.

3 Q When you read the EPA report about the contamination
4 of the east drainage ditch, did you accept all of the
5 statements of fact in that report as true?

6 A I accepted it in the same sense that I accepted the
7 other information. Different kinds of information contained
8 in that report that are geological.

9 Q You read to the jury at the conclusion of that report that
10 the 27 parts per billion found at Salem Street in the river
11 was from the north?

12 A Yes, the data that are included in the reports I
13 accept as facts, I have no reason not to accept it.

14 Q Did you accept that conclusion as a fact, that that is a
15 true statement of reality, that the 27 ppb found at Salem
16 Street in the Aberjona River came from the north?

17 A I accepted the statement that the Aberjona River was
18 or the drainage ditch was a likely source of contamination
19 in the same way I think that the drainage ditch could be a
20 likely source of contamination.

21 Q You accepted that statement as a fair and accurate
22 statement of reality. You made that conclusion?

23 A I -- I found no reason to disagree with their interpreta-
24 tion.

25 Q All right.

1 Now, in the E and E report, they made other
2 conclusions about the probable sources of contamination of
3 Wells G and H, did they not?

4 A That is correct.

5 MR. FACHER: Well --

6 MR. KEATING: Is this a reference to an
7 excluded document?

8 MR. FACHER: What document?

9 MR. SCHLICHTMANN: March, 1982 report which
10 was incorporated in the report of June, 1982.

11 MR. KEATING: Are you talking G-321, which
12 has been excluded?

13 MR. FACHER: Is that March, March of '82?

14 Q Was that incorporated in the June, '82 report?

15 A I believe it is or parts of it is, yes.

16 Q In fact, the reader, in the June, '82 report, is
17 referred back to their March, '82 report, the chlorinated sol-
18 vent contamination and likely sources of contamination of
19 Wells G and H, is that right?

20 A I believe so.

21 Q So that the reports are one and the same, is that
22 correct?

23 MR. FACHER: Object, your Honor.

24 THE COURT: Sustained.

25 Q Now, sir, when you read the E and E's conclusions in

1 their March, '82 report about the likely sources of
2 contamination to Wells G and H, did you agree that that was
3 essentially correct, that that is what the data had
4 shown to that date, that the likely source of contamination
5 were probably as the E and E had concluded that they were
6 in March of 1982?

7 A Could you read for me what that statement is, please?

8 THE COURT: All right.

9 MR. KEATING: I would only ask that the
10 witness be given an opportunity to read it himself.

11 THE COURT: Let him read it himself. Show
12 it to him.

13 MR. KEATING: Could you tell me what page
14 it is, Mr. Schlichtmann?

15 MR. SCHLICHTMANN: Page 1-2.

16 MR. FACHER: Is there a stray copy on your
17 Honor's desk?

18 MR. KEATING: We have an extra here.

19 MR. FACHER: Maybe one of them was mine.

20 I try not to accumulate that stuff.

21 Q And 4-1 and 4-6. I will put a little yellow tab on
22 them so there won't be any problem. 4-1 and 4-6. There
23 you are. Please read those to yourself.

24 A (Witness reading.)

25 Q Have you had an opportunity to read those pages?

1 A Yes, I have.

2 Q Do you agree with them?

3 A I think on the basis of the information they looked
4 at, I think those are reasonable conclusions to draw.

5 THE COURT: That is not the question that
6 you have been asked.

7 Q Do you agree with them?

8 A Do I agree with them now?

9 THE COURT: Yes.

10 A No.

11 Q They were reasonable at the time?

12 A Yes.

13 Q What were those conclusions that were reasonable at the
14 time?

15 MR. KEATING: I object.

16 THE COURT: Sustained.

17 Q Doctor, do you think it's reasonable to conclude,
18 based on all the information that you have obtained, that one
19 of the sources of pollution or contamination of these chemicals
20 in Wells G and H is coming, one of the areas is coming from
21 the direction of Well 21? Do you think that is a
22 reasonable conclusion?

23 MR. KEATING: I object.

24 THE COURT: Overruled.

25 A Would you repeat the question, please?

1 MR. SCHLICHTMANN: May I have the question
2 read back?

3 THE COURT: In light of your present
4 inclination, do you think that the pollution of Wells G and
5 H is coming in part from the direction of Well 21?

6 A You mean the pollution that reached Wells G and H
7 prior to May, 1979?

8 Q No, right now, right now.

9 A I believe there are chemicals moving toward the center
10 of the Aberjona River Valley from the vicinity of Well 21
11 right now.

12 THE COURT: Do you believe that or do you have
13 any opinion as to whether that was occurring prior to May
14 of 1979?

15 THE WITNESS: I believe that the movement
16 was in that direction prior to May of 1979.

17 THE COURT: From Well 21 toward G and H?

18 THE WITNESS: That is correct.

19 THE COURT: All right.

20 Q Are you aware of the fact that Mr. Maslansky has
21 testified that, in his opinion, the chemicals on the
22 Grace site have moved to Well 21? Are you aware of that?

23 A I believe he -- Yes, I am aware he has said that.

24 Q And do you agree with Mr. Maslansky?

25 A Yes. I don't believe all the chemicals in Well 21 are

1 from the Grace site.

2 Q But some?

3 A Yes.

4 Q And Well 21 is this well right up here, am I right
5 about that?

6 A That is correct.

7 Q All right. Just so I can point it out to the jury.

8 Now, you say some of the chemicals went to
9 Well 21 and the chemicals at Well 21 are moving towards
10 Wells G and H?

11 A Pardon me?

12 Q Part of the chemicals in Well 21 came from Grace and
13 the chemicals in Well 21 are moving to Wells G and H. Is
14 that what you are saying?

15 MR. KEATING: I object, only from the time.

16 THE COURT: Are we talking about today?

17 You are lumping in now and prior to '70.

18 MR. SCHLICHTMANN: I am asking right now.

19 MR. KEATING: I object on relevance if it is
20 right now.

21 THE COURT: All right. I will overrule it.

22 A Yes. The chemicals are moving from the Cryovac Plant
23 towards the center of the Aberjona River Valley right now.

End P

24

25

Q1

1 Q And --

2 THE COURT: I would like to get this
3 squared off, if I may, as we go along.

4 MR. SCHLICHTMANN: Please.

5 THE COURT: Prior to May of 1979, in
6 your opinion or do you have an opinion as to whether
7 chemicals from the Cryovac site had reached Well 21?

8 THE WITNESS: Prior to 1979?

9 THE COURT: Prior to May, 1979?

10 THE WITNESS: I have not analyzed it
11 in that sense.

12 THE COURT: So you have no opinion?

13 THE WITNESS: I have no opinion.

14 THE COURT: Next question.

15 Q (by Mr. Schlichtmann) Now, Dr. Guswa, you
16 testified -- and correct me if I'm wrong -- about
17 hydraulic conductivity of certain materials, is that
18 right?

19 A That's correct.

20 Q And the Grace site is underlain with ground moraine,
21 is that right?

22 A That's correct.

23 Q And the hydraulic conductivity of ground moraine --
24 correct me if I'm wrong -- you have in your opinion
25 is .75?

Q2

1 A That's correct.

2 Q That is not water velocity, that is hydraulic
3 conductivity?

4 A That's correct.

5 Q Now, you gave an opinion to the jury about how
6 much water from the Grace site is going to Wells G and
7 H. Do you remember that?

8 A Yes.

9 Q And in doing that opinion, if you would just come
10 up here.

11 A (Witness complied.)

12 Q It will soon be over. It will only be eight
13 minutes. I can't make you come up here more than once.
14 I probably could, but I won't.

15 You calculated the water from the Grace
16 site to Wells G and H. That water went through a certain
17 area, is that right?

18 A Yes.

19 Q And that went through -- Was it about 600 feet,
20 is that what you said?

21 A It was an area 600 square, around 600 square.

22 Q Yes.

23 A It was based on the water table map, the water level
24 map shows a groundwater divide through Well 8. I went
25 from the divide because on the east side of the divide

1 water flow is to the east. On the west side of the
2 divide it flows to the west. On the water table map
3 I made a square area and included the Cryovac plant
4 and calculated the divide of water that gets into the
5 ground and that is the volume of water that would move
6 from the Cryovac plant toward wells -- the position
7 of Wells G and H.

8 Q It would move between these two points, is that
9 right, is that what you figured (indicating)?

10 A Well, I took the water table map and included an
11 area a little bit larger than the Cryovac plant, but
12 it moves in this general direction, that's correct.

13 Q About how many feet is that, 600 feet?

14 A It was 600-foot by 600-foot square.

15 Q This area here that it moved through, was it about
16 600 feet (indicating)?

17 A Yes.

18 Q About 600 feet?

19 A Yes.

20 Q Now, are you aware -- You may sit down now, and
21 I won't ask you to come back until tomorrow.

22 A (Witness complied.)

23 Q Dr. Guswa, you are aware of the gradients at the
24 Grace site, is that right?

25 A Yes, I am.

1 Q Are you aware of the fact that Mr. Maslansky has
2 concluded that there are .037 from Washington Street up
3 to the area behind the building?

4 A Well, I wasn't aware that he had said .037, but
5 that is about the range that I would think.

6 Q That would be a proper gradient for that area?

7 A Yes, that's correct.

8 Q And the porosity for that area, are you aware
9 Mr. Maslansky used the figure 15. -- .15?

10 A Yes, he did.

11 Q Is that proper?

12 A That is within the range of porosity, yes.

13 Q And do you have your cross section from Grace
14 to the wells?

15 MR. KEATING: The long one?

16 MR. SCHLICHTMANN: Yes.

17 MR. KEATING: Let me look. I think we
18 brought everything over.

19 It is not here, but just let me see if
20 it. . . Wait a second -- I'm not sure -- I think. . .
21 Unfortunately, Jan, it is not here.

22 MR. SCHLICHTMANN: You don't have it?

23 MR. KEATING: I thought we had all of
24 them here.

25 THE WITNESS: There are some in the

1 back room.

2 MR. KEATING: May I go look in the back
3 room?

4 THE COURT: Certainly.

5 MR. KEATING: This?

6 MR. SCHLICHTMANN: Very good, it is here.

7 I don't want to violate my promise.

8 I won't ask you to come to the thing. You are familiar
9 with it, is that right?

10 A It depends upon how much detail you want me to
11 talk about.

12 Q All I want to know, what is the average depth to
13 the bedrock between the water table and the bedrock
14 at the southwestern side of the Grace site?

15 A G3 at approximately 20 feet.

16 Q Somewhere around 18 something, isn't it?

17 A Yes.

18 Q And is that a -- What you find as probably an
19 acceptable depth of the saturated zone to bedrock on
20 the southwestern side of the Grace site?

21 A Yes.

22 Q About 20 feet?

23 A Yes.

24 Q And you told the jury, when you made your
25 calculations, that 7400 gallons of water leaves the

- 1 Grace site?
- 2 A What was the time frame?
- 3 Q On a day.
- 4 A 74 gallons a day?
- 5 Q That is the amount that flows --
- 6 A Yes, that would be about right.
- 7 Q That is the amount that flows through the ground-
- 8 water?
- 9 A That's correct.
- 10 Q On a daily basis?
- 11 A Yes.
- 12 Q Through that saturated zone?
- 13 A Yes.
- 14 Well, flows through the unconsolidated
- 15 material as well as the bedrock.
- 16 Q Yes.
- 17 A Bedrock is slower flowing.
- 18 Q A foot or something?
- 19 A I have not calculated it. I agree the unconsolidated
- 20 is the more permeable material.
- 21 Q That is the area the water is going to flow
- 22 through, that 20-foot saturated zone?
- 23 A Yes.
- 24 Q On the southwestern side of the Grace site?
- 25 A Yes.

1 THE COURT: And that ground moraine?

2 THE WITNESS: Yes.

3 THE COURT: All right.

4 MR. SCHLICHTMANN: Well, it is one o'clock.

5 THE COURT: Is this a place you want to
6 stop?

7 MR. SCHLICHTMANN: I can go further,
8 but I have a lot more.

9 THE COURT: It seems to me there is a
10 question missing. We have the 18 feet of unconsolidated
11 ground here, we have water going at a certain rate, there
12 must be something that follows from all of this. There
13 must be something to get from all of this.

14 MR. SCHLICHTMANN: Can you give us 15
15 minutes?

16 THE COURT: Can you stand 15 minutes so
17 we won't have to be in suspense?

18 MR. KEATING: Your Honor, I think all
19 of us, the lawyers, have an event at two o'clock in
20 line with what we discussed yesterday at the side bar
21 after the -- I can tell you up at the side bar.

22 MR. SCHLICHTMANN: I can make it 15
23 minutes later.

24 THE COURT: You have some control over
25 that.

1 MR. KEATING: All right, we will go
2 until 1:15.

3 THE COURT: Let us finish this or we
4 will have to start all over again.

5 MR. SCHLICHTMANN: All right. We will
6 try to do something.

7 Q (by Mr. Schlichtmann) Now, I am going to violate
8 my promise, I'm sorry, Mr. Guswa, would you please come
9 up here.

10 A (Witness complied.)

11 Q Dr. Guswa, in your profession there is a fundamental
12 principle of science which basically permeates if I
13 might choose that word, the basic area of hydrogeology,
14 which is Darcy's law?

15 A Yes.

16 Q What Darcy's law is, what goes in has to come out
17 or is left behind, is that right?

18 A Yes.

19 Q Now, Darcy's law has a way, there is a way of
20 using Darcy's law to calculate --

21 THE COURT: Is that all there is to
22 Darcy's law?

23 THE WITNESS: No.

24 THE COURT: Is there more to it?

25 MR. SCHLICHTMANN: A little more.

1 THE COURT: Let's have a full statement
2 on Darcy's law.

3 Q One aspect of Darcy's law is if you want to compute
4 the outflow of water through a porous media, you take the
5 hydraulic conductivity, that's the permeability or the
6 ability of water to move through material, that is one,
7 right?

8 A Yes.

9 Q And then you multiply that by the cross sectional
10 area or the end of the area, the opening that the water
11 has to pass through?

12 A Yes.

13 Q And then you multiply that by the hydraulic gradient,
14 which is the tilt or the incline the water has to go
15 down?

16 A Yes.

17 Q Have I correctly stated that?

18 A Yes.

19 Q Would you put that up here? Q is outflow, am I
20 right?

21 A Yes (writing).

22 Q And that is equal to K, which is hydraulic
23 conductivity?

24 A (Writing).

25 Q Times the aerial cross section, which is A, we

1 will call it A.

2 A (Witness writing.)

3 Q Times the hydraulic gradient, which you call I,
4 is that right?

5 A Correct.

6 Q To figure out the cross section, the area, the
7 opening that water moves through, you take the width,
8 you take the height, and that is the opening?

9 A Yes.

10 Q That is the opening?

11 A That's correct.

12 Q You have told the jury that in your opinion based
13 on 12 inches of rainfall the Q is 7400 gallons?

14 A Per day.

15 Q Put down 7400 gallons per day.

16 A (Witness writing.)

17 Q To make sure the gallons per day are equal to the
18 same units we are using in our formula, you have to
19 change the gallons per day into cubic feet?

20 A Yes.

21 Q So you use the formula 7.48 gallons per cubic foot?

22 A That's correct.

23 Q So you take 7.48 cubic feet and you divide it
24 into 7400 gallons?

25 A That's correct.

1 Q And you come to a number?

2 A That's correct.

3 Q Do you have your calculator?

4 A Yes.

5 THE COURT: You take 7.48 gallons, you
6 can't come up with a result in cubic feet.

7 MR. SCHLICHTMANN: Divide 7 to come up
8 with the cubic feet per day.

9 THE COURT: Divide it by 7.48 gallons.
10 It doesn't matter, the number will be the same.

11 MR. SCHLICHTMANN: Thank you, your Honor.
12 I am glad you are here.

13 THE COURT: Some days you are and some
14 days you are not.

15 MR. SCHLICHTMANN: We will see what we
16 say about today.

17 Q Do you have your calculator?

18 THE COURT: What are these figures, again?
19 7400 gallons per day times 7.48. Okay.

20 THE WITNESS: We have to go back to
21 basic math.

22 Q I have a calculator. 7400 divided by 7.48.

23 A 989 cubic feet per day.

24 Q Do you want to call it 990?

25 A Sure.

1 Q It is easier.

2 A (Witness writing.)

3 Q Now, so we have 990 cubic feet per day on this
4 side of the equation?

5 A Okay (writing).

6 Q And that's going to be equal to the hydraulic
7 conductivity, which is .75.

8 A (Witness writing.)

9 Q Times the hydraulic gradient, which is .037.

10 A (Witness writing.)

11 Q Times the cross sectional area. And the cross
12 section area we have a value of 600 opening, is that
13 right, by 20? Is that right?

14 A Yes (writing).

15 Q Now, if we wanted to find out -- If we didn't know
16 how high the opening was, if all we knew was how wide
17 the opening was --

18 A Yes.

19 Q -- we could with very simple algebra arrange the
20 equation so that since we know all of these values and
21 the only value we don't know is the height, we can
22 make height X, right? We can put it over in our
23 equation here and the height X would equal Q over the
24 hydraulic conductivity times the length times the
25 hydraulic gradient, am I right in using algebra if

1 you make this X, if you don't know what the height is
2 you only know what the width of that opening is?

3 A Yes.

4 Q Make that X. Am I not right that X will equal --

5 A (Writing).

6 Q -- 990 cubic feet over -- The hydraulic gradient
7 .75?

8 A Hydraulic conductivity.

End Q

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

BS/kr

1 Q Excuse me. Hydraulic conductivity times the length,
2 which is 600, times the hydraulic gradient, .037.

3 Now, would you do that math for us and tell
4 us what the height is of the opening?

5 A Fifty-nine feet.

6 Q Fifty-nine feet?

7 A Yes.

8 Q That is the height of our opening?

9 A That is correct.

10 Q Now, could I have an overlay? Or why don't I do it this
11 way: How about, if you could, at Well G-3, which would be
12 the area of our opening, would you please measure off the
13 height of the opening from the bedrock and put a line through
14 the dot as to where that height is. Fifty-nine feet from
15 the bedrock.

16 A It's about 10 feet above land surface.

17 Q That is the height of the opening?

18 A That is correct.

19 Q And so is it not true, then, Dr. Guswa, that what
20 we have just calculated is if 7,400 gallons of water a
21 day were leaving the Grace site on a daily basis and it
22 was trying to get through that ground moraine at the hydraulic
23 conductivity of .75 and it was going down an incline which
24 was .037 and the opening that it was trying to get through
25 was 600 feet wide --

1 A Yes.

2 Q -- it would need an opening that was 59 feet high, which
3 would be 10 feet above the surface of the ground, am I
4 right?

5 A That is correct.

6 MR. SCHLICHTMANN: Thank you very much.

7 I will have that marked as P-908.

8 THE COURT: Does that conclude that
9 particular demonstration or is there more to come?

10 MR. SCHLICHTMANN: No, I think we can stop
11 right there.

12 THE COURT: All right. Tomorrow morning at
13 9 o'clock. Monday morning will be at 9:30. Monday morning
14 at 9:30. Tomorrow at 9 o'clock. All right.

15 (Whereupon the jury left the courtroom.)

16 MR. NESSON: Your Honor, can we just see you
17 for a second?

18 (CONFERENCE AT THE BENCH AS FOLLOWS:

19 MR. NESSON: Your Honor, we'd like to
20 request that we be given by the other side Dr. Guswa's
21 model.

22 THE COURT: It's in evidence.

23 MR. NESSON: Well, a picture of it is. But
24 the model is actually a computer model and when it was the
25 other way around with Pinder, he had his model ready and we

1 delivered to the other side a floppy disk so they could
2 run the model. That is what we want. We have a picture.

3 MR. KEATING: If we have got it, if he can
4 give it, I have no objection. I don't know what form the
5 program is in. I will ask him.

6 Let me talk to Dr. Guswa. You want the
7 program?

8 MR. SCHLICHTMANN: Yes.

9 MR. NESSON: I want it on a disk so I can
10 put it in a machine and run it.

11 MR. KEATING: I just don't know.

12 (Pause.)

13 THE COURT: Have we resolved our problems so
14 we can go to lunch?

15 MR. KEATING: Yes.

16 THE COURT: Good.

17 (Whereupon the 69th day of trial was adjourned, to
18 be reconvened on Friday, June 27, 1986 at 9 a.m.)

18

19

20

21

22

23

24

25